

UNITED STATES DISTRICT COURT  
MIDDLE DISTRICT OF FLORIDA  
ORLANDO DIVISION

----- x  
PARKERVISION, INC., :  
Plaintiff, : Case No.:  
vs. : 6:14-cv-687-PGB-LRH  
QUALCOMM INCORPORATED, : Orlando, Florida  
QUALCOMM AHEROS, INC., : January 24, 2022  
Defendants. : 9:31 a.m.  
: SEALED TRANSCRIPT

TRANSCRIPT OF MOTION HEARING, VOLUME 1 OF 2  
BEFORE THE HONORABLE PAUL G. BYRON  
UNITED STATES DISTRICT JUDGE

## APPEARANCES:

Counsel for Plaintiff: Kevin L. Burgess  
Caldwell Cassady & Curry  
2121 N. Pearl Street, Suite 1200  
Dallas, TX 75201

R. Mitch Verboncoeur and  
Joshua W. Budwin  
McKool Smith, P.C.  
300 W. 6th Street, Suite 1700  
Austin, TX 78701

Counsel for Defendants: **Eamonn Gardner, Dena Chen, Stephen R. Smith, and Matthew Brigham Cooley, LLP**  
**1144 15th Street, Suite 2300**  
**Denver, CO 80202**

Michael E. Lockamy  
Bedell, Dittmar, DeVault, Pillans &  
Coxe, PA  
101 E. Adams Street  
Jacksonville, FL 32202

Proceedings recorded by mechanical stenography.  
Transcript produced by computer-aided transcription.

Court Reporter: Suzanne L. Trimble, CCR, CRR, RPR  
Federal Official Court Reporter  
401 West Central Boulevard, Suite 4600  
Orlando, Florida 32801  
e-mail: trimblecourtreporter@gmail.com

## T A B L E   O F   C O N T E N T S

<u>PROCEEDINGS</u>	<u>PAGE</u>
3	
4	January 24, 2022
5	Colloquy Re: QUALCOMM's Motion to Strike and Exclude Undisclosed Theories (Docs. 491/540).....8
6	Colloquy Re: QUALCOMM's Motion to Strike Estopped TX Opinions (Docs. 491/540).....61
7	Colloquy Re: QUALCOMM's Motion to Strike Estopped ParkerVision I Opinions Re: Operation of the Accused Devices (Docs. 491/540).....86
8	Colloquy Re: QUALCOMM's Motion to Strike Estopped ParkerVision I Opinions Re: Operation of the DeMaw Prior Art (Docs. 491/540).....91
9	Colloquy Re: QUALCOMM's Motion to Strike Estopped ParkerVision I Opinions Re: Operation of the Weisskopf Prior Art (Docs. 491/540).....94
10	Colloquy Re: QUALCOMM's Motion to Strike Estopped ParkerVision I Opinions Re: Operation of the DeMaw Prior Art (Docs. 491/540).....97
11	Colloquy Re: QUALCOMM's Motion to Strike Estopped ParkerVision I Opinions Re: Operation of the Weisskopf Prior Art (Docs. 491/540).....106
12	Colloquy Re: QUALCOMM's Motion to Strike Estopped ParkerVision I Opinions Re: Operation of the DeMaw Prior Art (Docs. 491/540).....111
13	Colloquy Re: QUALCOMM's Motion to Strike Estopped ParkerVision I Opinions Re: Operation of the Weisskopf Prior Art (Docs. 491/540).....112
14	Colloquy Re: Claim Construction.....113
15	Colloquy Re: QUALCOMM's Motion to Strike ParkerVision I Opinions Re: Testing and Simulation (Docs. 491/540).....119
16	Colloquy Re: QUALCOMM's Motion to Strike ParkerVision I '372 Patent Opinions/Summary Judgment Re: '372 Patent (Docs. 491/540/494).....155
17	Colloquy Re: QUALCOMM's Motion For Summary Judgment Re: Harmonically Rich Signal (Doc. 494).....193
18	
19	
20	
21	

## 1 P R O C E E D I N G S

2 THE COURTROOM DEPUTY: ParkerVision, Inc., vs.

3 QUALCOMM Incorporated, et al., Case No. 6:14-cv-687.

4 Counsel, please state your appearances for the record.

5 MR. VERBONCOEUR: Good morning. Mitch Verboncoeur  
6 from McKool Smith. With me at the table is Kevin Burgess from  
7 Caldwell Cassady. Also with us is Mr. Josh Budwin, also from  
8 McKool Smith. And we have Jeff Parker from ParkerVision here as  
9 well.

10 THE COURT: Thank you. Good morning.

11 MR. BRIGHAM: Good morning, Your Honor. Matt Brigham  
12 from Cooley on behalf of Defendants. With me is Eamonn Gardner,  
13 Dena Chen, and Steve Smith from Cooley. From QUALCOMM we have  
14 Brett Bachtell. And from the Bedell firm we have Michael  
15 Lockamy.

16 THE COURT: Thank you. Good morning.

17 All right. Ladies and gentlemen, since we are going to be  
18 at this for a while today, I think it makes the most sense if  
19 you -- you can either present your argument from the podium, if  
20 you like, or from your seated position at your table. The key  
21 is to have a microphone as close as possible, since we're all  
22 wearing masks and that makes it more difficult to have a clear  
23 transcript. So if you prefer to argue from your seated  
24 position, feel free to do so, and then we'll take these issues  
25 one at a time.

1       I did see the notice filed by the parties last week  
2 indicating that claim 107 of the '372 patent has been abandoned,  
3 and then, of course, the '177 patent is out, and that affects  
4 some of the arguments in this case.

5       I'm going to leave it up to you all in terms of which order  
6 to proceed, but let me tell you what we have on schedule for  
7 today. We have the motion to strike and exclude opinions  
8 regarding infringement at docket entry 540. That's the sealed  
9 motion. And we have the motion -- I believe at 491 is where the  
10 unsealed version of that occurs. We have the motion for summary  
11 judgment of noninfringement invalidity sealed at docket entry  
12 538. And then, finally, we have the motion for summary judgment  
13 on IPR estoppel related to QUALCOMM's BBA2 and BB3 invalidity  
14 theories, the prior art argument, at docket entry 498.

15       The way I've reviewed these in preparing for today was to  
16 start with the motion to strike and exclude opinions regarding  
17 infringement, it just was the first one that I saw, then I went  
18 to the summary judgment at 540, and then, finally, with the  
19 prior art, which is the most simple of the three, really. So  
20 whatever order you would like to proceed, we'll have whoever the  
21 movant is going first and then we'll go from there.

22       Yes, sir.

23            MR. BRIGHAM: Your Honor, unless you have a  
24 preference, our proposal would be to take them in that order as  
25 well.

1                   THE COURT: That makes sense.

2                   MR. VERBONCOEUR: That's fine with us, Your Honor.

3                   THE COURT: All right. Very good. So when we're  
4 starting with the first one, which is the motion to strike and  
5 exclude opinions regarding infringement at docket entry 540, the  
6 parties have indicated which portions are no longer before me  
7 dealing with the '117 patent -- or the '177 patent rather, and  
8 that is dealing with impedance translation, I believe as well as  
9 the argument concerning the new theory of reference potential.  
10 Tell me if I'm wrong about any of this. I think all of those  
11 are out. And then there's the untimely theory of -- you call it  
12 Tau off over T or t-a-u off over T?

13                   MR. VERBONCOEUR: It's Tau, Your Honor.

14                   THE COURT: Tau. Thank you. I think all of the those  
15 pertain to the patent that's been abandoned; is that correct?

16                   MR. VERBONCOEUR: I should let QUALCOMM answer that.

17                   THE COURT: Sure.

18                   MR. GARDNER: So the Tau off over T theory that  
19 ParkerVision has proposed, to our understanding, will only  
20 relate to the receiver patents in this case. It doesn't have  
21 any relationship to the purely transmit patent. One of patents,  
22 the '940 patent, has both receive and transmit aspects to it,  
23 whereas the '372 patent is purely a transmit patent in this  
24 case.

25                   THE COURT: You all like to refer to it as receiver

1 and transmitter patents. It helps for me if we're talking about  
2 patent by number because that's how I look at them, whether it's  
3 the '940, the '904, the '372 -- whatever it may be. Because  
4 sometimes I'll hear an argument that claims on the receiver  
5 patent have been already decided. I don't know what that means.  
6 I mean, you all know what the receiver patent is. I look at the  
7 number and the claim that's at issue and the interpretation of  
8 the claim. But if you're talking about it shorthand, just  
9 assume I have no idea what you're talking about.

10 MR. GARDNER: We'll try to make that very clear today,  
11 Your Honor.

12 THE COURT: So I'll get that in argument, where it  
13 will be many times of such and such patent have been determined  
14 by the PTAB. If you don't list them, I have no idea which ones  
15 you're talking about. It has to be specific or how on earth  
16 would I know whether it's claim 23 or claim 247. So that's part  
17 of the problem I have and part of the reason for calling this.  
18 When I read through the motions, I was left at times scratching  
19 my head as to what exactly are you talking about. You know,  
20 which claims are in, which don't apply, which claims are not  
21 affected by the arguments, it's completely unclear. That's not  
22 necessarily your fault. You're dealing with a nonpatent lawyer.  
23 Thank God. So that's the dilemma. So just assume I've been  
24 through these before, but I'm not a technical subject matter  
25 expert. So dumb it down.

1                   MR. GARDNER: We'll do our best, Your Honor.

2                   THE COURT: All right. Thank you. And if I don't  
3 know what you're saying, I'll be happy to tell you.

4                   All right. So who will be speaking on behalf of QUALCOMM  
5 as to the first motion?

6                   MR. GARDNER: I will, Your Honor.

7                   THE COURT: All right.

8                   MR. GARDNER: And that's Eamonn Gardner on behalf of  
9 QUALCOMM. So as soon as you introduced the motions that we're  
10 going to be going through, you referred to the confidential and  
11 the nonconfidential version.

12                  THE COURT: Right.

13                  MR. GARDNER: All of the citations that we are going  
14 to provide are using docket 491 as the motion number, and as  
15 Your Honor has pointed out, that there's a corresponding  
16 confidential version in the record as well.

17                  So we're going to start today with QUALCOMM's motion to  
18 strike, and there is a related argument on the '372 patent that  
19 we'll cover at the end that also relates to our summary judgment  
20 motions. So the two issues are intertwined and so we'll cover  
21 both of those. But I think what makes most sense -- and I'll  
22 let Your Honor decide -- is to take it bit by bit because  
23 there's multiple different issues, and so we'll present on one  
24 of those issues and then allow ParkerVision's counsel --

25                  THE COURT: Yes.

1                   MR. GARDNER: -- to respond on that particular portion  
2 of the motion and then proceed forward.

3                   THE COURT: Right. That makes sense.

4                   MR. GARDNER: And, Your Honor, we handed up two  
5 copies. We don't know if your clerk would also like a copy of  
6 the slides that we're going to be presenting.

7                   THE COURTROOM DEPUTY: You did.

8                   MR. GARDNER: Already taken care of.

9                   So just to start off, Your Honor, I think there's a few  
10 basic black letter law principles that we think are the  
11 foundation of QUALCOMM's motion. So first, Federal Rule of  
12 Procedure 37, you know it really just stands for a simple  
13 proposition, which is you cannot raise arguments, theories that  
14 you didn't disclose during the discovery period in a timely  
15 manner. So you know, as we'll see today, there are  
16 interrogatory requests that go to some of these issues. There  
17 were infringement contentions that required ParkerVision to  
18 disclose these issues, and ParkerVision didn't do so. And so  
19 the simple result is that they're precluded from being able to  
20 proceed with arguments that were, just frankly, not disclosed.

21                   The second sort of fundamental principle that we'll be  
22 dealing with is the *Daubert* case, and I think as Your Honor has  
23 pointed out, that federal judges are not scientists, but the  
24 court still serves a very important gatekeeping function in  
25 order to make sure that the jury only hears scientifically

1 reliable information.

2 THE COURT: Let me just interrupt for a second. Why  
3 is that timely? Let me get to the point on that. When I was  
4 reading through your motion, I saw several very clear *Daubert*  
5 arguments, lack of testing and simulation, et cetera. And  
6 they're *Daubert*-driven arguments. I've ruled on probably, I  
7 don't know, half a dozen or more *Daubert* motions in this case.  
8 The deadline for *Daubert* filing has passed. If these are  
9 echoing arguments you previously made in *Daubert* arguments,  
10 that's fine.

11 But if you're using this motion to revisit *Daubert*, why is  
12 it not barred by the case management scheduling order?

13 MR. GARDNER: So I think that that this is how the  
14 motion was titled. It was filed timely on the *Daubert* deadline  
15 date. So this is not a motion that was filed late after the  
16 *Daubert* deadline in this case. This is QUALCOMM's motion  
17 addressing issues that are issues for a *Daubert* motion. So you  
18 know, I think that if Your Honor has a concern, I don't think  
19 there's any issue here. This was filed timely on the Court's  
20 date for *Daubert* motions.

21 THE COURT: I've just never seen a *Daubert* filed in  
22 this way. Usually it's to an expert's opinions, where it goes  
23 against the expert. Here, it's embedded in a different motion.  
24 I do recall looking back at the CMSO and any modifications to  
25 it, and I don't recall seeing that this was filed consistent

1 with the deadline for *Daubert*, but I'll check that. When I  
2 looked at it, I did not -- the first thing I did when I saw a  
3 *Daubert* argument was go back and look, is it timely. And when I  
4 went to the case management scheduling order and modifications  
5 to it, my recollection was that this was filed -- this motion  
6 was filed after that deadline. Perhaps I'm wrong about that,  
7 but I'll double check.

8 MR. GARDNER: Yeah. We'll double check the same  
9 thing, Your Honor, but, again, we filed this as a *Daubert* motion  
10 against our page limit at that time.

11 THE COURT: Right. I'm more concerned if it's timely,  
12 but I'll look at that.

13 MR. GARDNER: Okay. So the foundation to the *Daubert*  
14 decision is Federal Rule of Evidence 702. And what the Supreme  
15 Court set forth in *Daubert* is that, as the gatekeeper for this  
16 scientific evidence -- and, again, what QUALCOMM's motion goes  
17 to is the expert testimony that ParkerVision is proposing to  
18 submit in this case or the testimony that they intend to elicit  
19 at trial.

20 And there are really three main portions of 702 that the  
21 arguments go through. First, is that ParkerVision just did not  
22 submit sufficient facts or data to support the opinions that  
23 their expert is providing, and that goes to, you know, the lack  
24 of simulations, the lack of actually any calculations or  
25 analysis related to some of the issues in dispute; the second is

1 the reliability of the products or methods. That would be, as  
2 for example, the Tau off over T argument that we'll start with;  
3 and then, finally, whether or not they reliably applied those  
4 principles and methods to the facts of this case. And I think  
5 that the importance of Rule 702 is it makes very clear that it  
6 really grounds it in the facts of the case and what the issue in  
7 dispute is, and we'll go through that today.

8 Now, the *Cobra* decision is one of the decisions that we'll  
9 discuss as support for QUALCOMM's motion, and the *Cobra* decision  
10 ends up being a very analogous decision to the issues that we're  
11 going to be facing today. So for example, in the *Cobra*  
12 decision, the Court made clear that -- and it's actually quoting  
13 an Eleventh Circuit decision, as well as *Daubert* -- that the  
14 expert testimony has to both rely on a reliable foundation and  
15 it has to be relevant to the task at hand. And again, we'll  
16 look at the Supreme Court's *Daubert* decision, but what the Court  
17 makes clear is that it's not enough just that you're citing to  
18 scientific knowledge. You actually have to cite to scientific  
19 knowledge that goes to or is tied to the issue in dispute, and  
20 that becomes very important in this case.

21 In the *Cobra* case, what the Court really looked at was --  
22 there, the dispute centered on the physical properties of a  
23 circuit that was at issue, just as some of the disputes in this  
24 case focus on the physical properties of the circuits that are  
25 at issue. And in *Cobra*, the Court really looked at the expert

1 failed to do an analysis of those physical properties. So the  
2 Court, you know, really looked at it and said, It's not enough  
3 that the expert looked at documents that may or may not be  
4 reliable. It's really whether the expert looked at the right  
5 issue, and that's the physical properties of the device.

6 The *Becton, Dickinson* case is also instructive. In that  
7 case, the Federal Circuit, dealing with a patent case, dealing  
8 with a case where one of the issues in dispute is whether or not  
9 a device stores energy, which is, again, another dispute that  
10 we're facing in this case, whether or not the accused products  
11 store energy in the way that ParkerVision alleges. The Court  
12 said, The failure to provide test data or demonstrations in  
13 order to be able to support the expert's analysis is not enough.  
14 And again, in that case, you know, there was no dispute about  
15 the general design of the product. You know, the expert looked  
16 at the physical product itself and looked at -- but the expert  
17 didn't go to the step that was really required, which is that  
18 the industry really requires in those types of instances that  
19 you perform simulations because just looking at the product  
20 wasn't enough.

21 So we're going to start -- I think what will make sense is  
22 for QUALCOMM to go through -- there's four motions in our -- or  
23 I guess our *Daubert* motion is split into four parts. The first  
24 one is the motion to strike undisclosed sort of new theories on  
25 this Tau off over T issue, and we'll go through that first and

1 then allow ParkerVision to be able to respond and then go  
2 through each of the --

3 THE COURT: Identify please what patents they apply to  
4 and then, of course, at some point I'm going to want to know if  
5 there are any claims within a given patent that are not impacted  
6 by your argument. So if there's an argument, as I mentioned  
7 already, there's often a reference to numerous claims that have  
8 been invalidated or whatever. That doesn't tell me if there's  
9 none, if that escapes your argument, assuming, you know, I find  
10 it to be correct. So I just need to drill down on that a bit.

11 All right. So let's start with the opinion first, the  
12 opinion which you allege was first presented in rebuttal expert  
13 reports.

14 MR. GARDNER: Yeah. And I'm going to go ahead and try  
15 to answer Your Honor's question first.

16 THE COURT: I was of the view initially that this was  
17 part of the '177 which had been dropped, but apparently it  
18 crosses over to another patent.

19 MR. GARDNER: Yeah, Your Honor, and so -- I'm going to  
20 start with your question of what does this motion relate to.

21 One more slide.

22 I unfortunately didn't have a great slide to be able to  
23 answer Your Honor's question, but I want to make it very clear  
24 on the record so that there's no confusion. So ParkerVision is  
25 using the Tau off over T theory to argue about receiver claims,

1 and I want to make very clear what the receiver claims are. So  
2 as you can see in this chart that is provided in slide 31 to  
3 QUALCOMM's deck, the '907 patent has two claims that are at  
4 issue, claims 1 and 10, and both of those claims are receiver  
5 claims. So the Tau off over T issue applies to both of the  
6 claims in the '907 patent.

7 The second is the -- in this chart it lists the '940 as a  
8 transmit patent, and that's typically how we refer to it because  
9 predominantly the claims relate to the transmit side, but the  
10 claim 24 also relates to receiver issues. So QUALCOMM's motion  
11 also relates to claim 24. And the 331 is a dependent claim from  
12 claim 24, so it also relates to that claim.

13 So as Your Honor already covered, there were three parts to  
14 our motion to strike undisclosed theories. Part A and C have  
15 been mooted by the fact that the '177 and the claim 107 of the  
16 '372 patent are out. So the only issue left is our motion to  
17 strike the untimely and unreliable Tau off over T theory.

18 So first I want to start and I want to make clear what  
19 ParkerVision's Tau off over T theory is, because it's important  
20 to understand how ParkerVision is trying to use this theory in  
21 order to decide whether or not ParkerVision has met its burden  
22 in showing that it's reliable. So the Tau off over T theory,  
23 ParkerVision's expert did a calculation, and he did a  
24 calculation of something that's called Tau, and then he used  
25 time, which is a very well-known variable. And there's no

1 dispute between the parties that the calculation of Tau and time  
2 are known variables in the art.

3 The issue here is that what ParkerVision's expert tries to  
4 do is he tries to do this calculation, which has never been  
5 disclosed in any scientific journal, never been used in any a  
6 scientific reference, never used in the patents, never been  
7 disclosed, never been tested. And they try to use this theory  
8 and they say, If we look at this calculation that's never been  
9 done before and we do Tau-off and we divide it by T, then we can  
10 look at that relative value and we can decide whether or not  
11 something is a voltage sampler or energy sampler. And why  
12 that's important, according to ParkerVision, is that if  
13 something is an energy sampler, ParkerVision's argument is that  
14 it falls within the claims, and if something is a voltage  
15 sampler, ParkerVision's argument is that it falls outside the  
16 claims.

17 So ParkerVision is trying to use this Tau off over T theory  
18 to tell us what falls within what we've now defined as their  
19 receiver claims or what falls outside what we've defined as the  
20 receiver claims. And, again, I think that there are two parts  
21 of this that are really important. It's that they're using the  
22 calculation, not just the variables, and, second, is that  
23 they're trying to use this calculation to prove whether or not  
24 something is an energy sampler or voltage sampler.

25 THE COURT: And why is that improper? Is it the

1 timing of the disclosure? I mean, the fact that you're claiming  
2 it's never been -- that this calculation has never been used  
3 before that you're aware of by experts in the field doesn't mean  
4 that an expert lacks the ability to use it now, because, as you  
5 know, the *Daubert* analysis -- let's assume *Daubert* is timely,  
6 and I may have been looking at the date of the sealed versus the  
7 original filing, and that may have thrown me. Let's just assume  
8 everything is timely.

9 My purpose is to see if the person has, you know, the  
10 training, qualifications, education and is relying on reasonable  
11 methodology. So the fact that something is being presented as a  
12 theory now that may not have been presented before is not  
13 necessarily dispositive. Right? And it doesn't matter if I  
14 think the argument is persuasive. In fact, I'm not supposed to  
15 consider whether I believe the expert's explanation. Did they  
16 have the methodology, did they have the training and experience,  
17 you know, the typical *Daubert* analysis. And if I get through  
18 that part, then the fact finder decides if they accept it as  
19 being persuasive.

20 So when you're saying it's not been disclosed before, I  
21 want to understand what you mean by that.

22 MR. GARDNER: So the *Daubert* decision -- and, again, I  
23 think it's so important that we emphasize that it's the Court's  
24 role to act as a gatekeeper for this.

25 THE COURT: Right. I see this all the time. You

1 don't have to explain *Daubert* to me.

2 MR. GARDNER: So there has to be evidence that's  
3 presented by the Plaintiff, the proponent of this theory, that  
4 this is a scientifically reliable theory. And in the *Daubert*  
5 decision, they go through a set of potential ways the  
6 Plaintiff -- or in this case the Plaintiff -- the proponent can  
7 support the reliability of that theory. And in this case, none  
8 of those are met.

9 Now, I think that you had asked why the decision -- or why  
10 the Tau off over T theory needs to be struck. There are three  
11 reasons. One is that it's just untimely. They failed to  
12 disclose this during the discovery period. They waited until  
13 their rebuttal expert reports, and that in and of itself is  
14 sufficient reason. The second is really this issue under  
15 *Daubert*. And then the third is, if ParkerVision's theory were  
16 allowed, it would actually contradict the patents in this case.  
17 And, you know, we think that both ties to *Daubert* and just a  
18 fundamental principle that they can't present a theory that  
19 would be directly inconsistent with their own patents in this  
20 case. And so we think that any of these reasons are sufficient  
21 for the Court to strike.

22 We had started off with the timeliness issue. If Your  
23 Honor would like me to skip to the *Daubert* issue, I can,  
24 otherwise I was going to --

25 THE COURT: I appreciate the timeliness issue, that

1 you're arguing it first came out in rebuttal, was not disclosed  
2 in the original expert disclosure, not disclosed in discovery,  
3 and, therefore, you didn't have a motive to either respond to it  
4 with your experts or conduct discovery going towards those  
5 theories. So I fully get that.

6 MR. GARDNER: Okay.

7 THE COURT: How is it inconsistent with the asserted  
8 patents?

9 MR. GARDNER: So I think that goes to -- and if Your  
10 Honor goes to slide number 25. So after receiving  
11 ParkerVision's Tau off over T theory, and, again, this is in  
12 rebuttal reports, Dr. Razavi, QUALCOMM's expert, took that  
13 theory and applied it to the disclosures in the asserted  
14 patents. And so what Dr. Razavi did is he said, Okay, I'm going  
15 to use the methodology that ParkerVision's expert relies on, and  
16 I'm going to apply that to figures 126 -- I think it's a little  
17 bit hard to read, but it's 126, 127, and 129 that are all  
18 highlighted here, and he said, I'm going to apply that exact  
19 same calculation that ParkerVision's expert raises, this  
20 brand-new theory on how you can differentiate voltage samplers  
21 and energy samplers, and I'm going to apply it to something that  
22 the patent says is an energy sampler. Right?

23 When Dr. Razavi performed that calculation, what  
24 Dr. Razavi's calculations show is that the Tau over T is 4,040.  
25 Now, this is important because what ParkerVision says -- what

1 ParkerVision's experts say is that anything over 200 is a  
2 voltage sampler. So what the patent says is an energy sampler,  
3 ParkerVision's Tau off over T theory would label as a voltage  
4 sampler. So this theory doesn't even work when it's applied to  
5 the very patents in this case.

6 And that doesn't make any sense. It's not possible that  
7 what the patent says is an energy sampler and what it discloses  
8 as an invention is all of a sudden a voltage sampler. So if  
9 we're looking at this theory and we're saying, Look, there's no  
10 scientific publication that supports differentiating between  
11 voltage and voltage samplers and energy samplers, and then we  
12 try this theory, and we try it against the most reliable thing  
13 in this case about what an energy sampler is, and it doesn't  
14 work. And again, you know, that is a very significant issue,  
15 that it just does not reliably apply, and that in and of itself  
16 shows that the theory is not reliable and can't be used.

17 I do want to make one issue clear, is that ParkerVision is  
18 both using this as infringement theory and as a validity theory.  
19 And I think that's important because I know -- I appreciate,  
20 Your Honor, that you understand the argument, but I do think we  
21 have a dispute between QUALCOMM and ParkerVision as to whether  
22 or not this is actually an infringement theory, and I do want to  
23 address that.

24 So on slide 14 we've excerpted a portion of ParkerVision's  
25 expert report, and in the first portion of it in yellow, they

1 perform this Tau off over T theory, and they get this number of  
2 5,555, and they say that 5,555 satisfies the relationship of a  
3 voltage/hold, a voltage sample and hold sampler, right, so a  
4 voltage sampler. They say that that calculation can be used to  
5 say that this prior art reference that they're analyzing falls  
6 outside the scope of the claims.

7 Then they turn this calculation around and they try to use  
8 it against a QUALCOMM product, and they say, Well, when we look  
9 at a QUALCOMM product, the QUALCOMM product has a Tau off over T  
10 calculation of 9.55, so a low number. And they say, Well, that,  
11 therefore, tells us that it's an energy sampler and falls within  
12 the scope of the claims.

13 That's an infringement theory. This isn't in their  
14 infringement expert report. This isn't in their infringement  
15 contentions. This wasn't disclosed at any point during the case  
16 until a rebuttal expert report on validity. This is an  
17 infringement argument. There's no way for a jury, when their  
18 expert says, I believe that because this number qualifies  
19 something as an energy sampler, that, therefore, falls within a  
20 claim the jury is going to understand that as an infringement  
21 argument, and that infringement argument was never disclosed in  
22 this case.

23 ParkerVision also argues that the Tau off over T theory is  
24 in response to Dr. Razavi's simulations. They make that  
25 attorney argument, but they do not provide any evidence to

1 support that argument. And, again, this is important. It's  
2 their burden.

3 When ParkerVision's expert testified about how he developed  
4 this theory, he didn't say he looked at any simulations in order  
5 to come up with this theory. He said he was looking at the  
6 prior art circuits. He did not testify that he looked at  
7 simulations. He did not testify it was in response to  
8 simulations. And his expert report doesn't say it was in  
9 response to simulations. This is just an attorney argument  
10 that's been constructed by ParkerVision. In fact, when we  
11 looked -- when we asked ParkerVision's expert whether or not he  
12 provided a response to Dr. Razavi's simulations, he said, I  
13 would have to check my report, but I don't think I did. So  
14 ParkerVision's argument that this is somehow a response to  
15 simulations doesn't make any sense and isn't supported by any  
16 evidence.

17 The next argument that ParkerVision makes is that they were  
18 merely performing these Tau off over T calculations in order to  
19 rebut arguments that were made by Dr. Razavi, and in particular,  
20 they say Dr. Razavi has made this argument that the prior art  
21 behaves similarly to the accused products, and so they're  
22 allowed to respond to that argument. And the citation they  
23 provide here is really indicative, and I want to be very clear  
24 about this. The cite to Razavi's report that they provide is to  
25 Crols, this Crols prior art reference. So what they're telling

1 Your Honor is they're saying this Tau off over T theory, this is  
2 in response to something Dr. Razavi said about Crols.

3 Let's see if that makes any sense. This is every single  
4 Tau off over T calculation that Plaintiff's expert did, and it  
5 doesn't include Crols. So how is it possible they say, We were  
6 responding to Dr. Razavi's argument about Crols, but they didn't  
7 address Crols? This argument, again, it doesn't make any sense.  
8 They say that this is a timely theory, they're just responding  
9 to something that Dr. Razavi did. There's no evidence of that.  
10 And in fact, if we look at the actual evidence, it suggests that  
11 they were not responding to any arguments by Dr. Razavi. And in  
12 fact, they didn't even address the argument from Dr. Razavi  
13 related to Crols. They left Crols out of their calculations  
14 completely. So, again, we just think that these arguments are  
15 just to confuse and mislead the Court.

16 Now, the second basis, and I do think the second basis is  
17 very important, is this issue about *Daubert*. And, again, I want  
18 to -- I want to keep on coming back to this because it's so  
19 important. The Tau-off -- the way they're using Tau off over T  
20 is they're saying the calculation of Tau off over T can  
21 distinguish between an energy sampler and a voltage sampler.  
22 And so we have to know whether that is a scientifically reliable  
23 argument to make, and the guidance that we have from the Supreme  
24 Court and the case law says we have to look at factors in order  
25 to determine whether or not something is scientifically

1 reliable.

2 And those factors are: Whether it has been or can be  
3 tested; no evidence of that in this case. Whether the theory or  
4 technique has been subjected to peer review or publication; it  
5 has not been subjected to peer review and publication. And the  
6 potential rate of error; and we've just shown the potential rate  
7 of error is very high because it mislabels something that's  
8 actually in the patents. So we know under this final factor  
9 that the potential rate of error is very high and doesn't even  
10 properly label something that's in the patent as a voltage  
11 sampler or an energy sampler.

12 Now, ParkerVision does a lot of argument in their rebuttal  
13 to say this, the RC time constant Tau was known, and we do not  
14 dispute them. The RC time constant Tau was known. They also  
15 make an argument that time was known. They then say this  
16 textbook calculation written as Tau over T -- not a single piece  
17 of evidence actually shows that calculation. So they cite to  
18 references that include Tau, and of course we all know that time  
19 exists and can be used in all kinds of calculations, but they  
20 don't actually show that the calculation is used.

21 Even if the calculation were used, ParkerVision has no  
22 evidence that it's been used to establish the link that they  
23 think is important here. So what they're trying to do is  
24 they're trying to link Tau off over T as a calculation to  
25 whether or not something is a voltage sampler or an energy

1 sampler. That's the link they're trying to establish. And the  
2 Supreme Court has said knowledge enough isn't important, unless  
3 there's evidence of a link between these -- sorry -- a link  
4 between these two pieces of information.

5 And that in the *Daubert* decision, the Supreme Court gives  
6 an example that's very on point to what we're dealing with here.  
7 So the Supreme Court says, The study of phases of moon may  
8 provide scientific knowledge about whether a certain night was  
9 dark, and if darkness was a fact in issue, the knowledge will  
10 assist the trier of fact. But  $\text{Tau off over T}$  may be valid  
11 scientific knowledge, and if there was something about the RC  
12 time constant that was in dispute, then they could use the RC  
13 time constant. But the RC time constant is not in dispute.  
14 What's in dispute is whether these devices are voltage samplers  
15 or energy samplers, and on that point there is absolutely no  
16 link between -- established by ParkerVision, and again, it's  
17 their burden to show that this is a reliable methodology.  
18 There's absolutely no credible grounds that form a connection  
19 between  $\text{Tau off over T}$ , the calculation that they're performing,  
20 and whether something is voltage sampler or energy sampler.

21 I think I've repeated this point enough that I won't go  
22 through the slide.

23 Another very important factor in this as to whether or not  
24 this is reliable is the fact that ParkerVision's own experts  
25 don't even agree. So ParkerVision's experts can't even agree on

1 when you perform this calculation, Tau off over T, whether you  
2 use the time should be based off a 75 percent off time or  
3 25 percent off time.

4 And so we've provided cites here. But I think it's  
5 probably most helpful for the Court on slide 24 to look at  
6 Dr. Allen's testimony. So during his deposition -- and I want  
7 to be really clear about this. Dr. Allen is the one that  
8 developed this theory. This theory doesn't exist in any printed  
9 publication. Dr. Allen came up with it for this case. So he is  
10 the person who created this theory. He's the person that came  
11 up with it. He's the person who developed it for this case and  
12 made it an argument.

13 And when we asked Dr. Allen during his deposition, When  
14 you're analyzing the Tau off over T-off, when you're making that  
15 analysis, the analysis that he relies on in his expert report,  
16 we say the T-off time would be based off of a 75 percent off  
17 period, and Dr. Allen says, That's correct. We then confronted  
18 Dr. Allen with the fact that in his appendices he had actually  
19 used a 25 percent off time. And so we said, Look, Dr. Allen, we  
20 all agree. In your deposition you've acknowledged that it's  
21 supposed to be a 75 percent, and we went to his calculations,  
22 and Dr. Allen said, You know what, you're right. I made a  
23 mistake. That's okay. It's okay for experts to make mistakes.  
24 We all make mistakes. The issue here is that Dr. Allen says he  
25 made a mistake and he should have used the 75 percent off time.

1       And then, as the Court knows, there was a substitution  
2 between Dr. Allen and Dr. Steer. And then Dr. Steer comes into  
3 this case and Dr. Steer all of a sudden testifies, No, Dr. Allen  
4 is wrong. So we have a brand-new theory that's never been  
5 submitted for, never under peer-review publication. When we  
6 actually apply it to the patents, it doesn't work. And the two  
7 experts that are supposed to be able to present this from  
8 ParkerVision's side don't even agree on whether we're supposed  
9 to use a T-off time of 75 percent or a T-off time of 25 percent.  
10 And the fact that Dr. Steer disagrees with Dr. Allen is really  
11 notable because Dr. Allen is the one that developed the theory.

12       I mean, I just don't know how QUALCOMM is able to address  
13 this type of unreliability. I mean, if the jury is allowed to  
14 consider this type of evidence, it's going to completely mislead  
15 them because they have no way to evaluate the reliability of a  
16 theory like this, when, again, the experts don't agree, it  
17 doesn't make sense when it's applied to the patents.

18           THE COURT: What's the effect if I exclude this  
19 theory? Let's, say, assume that I agree with everything you've  
20 said. What's the effect in terms of the patents that are  
21 currently pending? Does this eliminate claims 24 and 331 of  
22 '940 and 1 and 10 of '907, or does it go beyond that?

23           MR. GARDNER: No. It just impacts, as is the case in  
24 many *Daubert* decisions, on what they would be allowed to present  
25 at trial. So at trial it would just take this Tau off over T

1 theory. ParkerVision has other arguments that they've made, and  
2 we're not trying to suggest that this, you know, obviates the  
3 case in any way as to any of those claims. So it would just  
4 make it such that they couldn't present this Tau off over T  
5 theory either for infringement purposes or for, in their case,  
6 validity arguments.

7 I do want to quickly address one argument that was made by  
8 ParkerVision. So ParkerVision said -- in their opposition to  
9 our *Daubert* motion, they made this argument that, Well, if we  
10 failed to disclose this theory in a timely manner, then you  
11 should also strike Dr. Razavi's simulations. And this argument  
12 doesn't make sense for a number of reasons. If it were filed  
13 properly as a proper *Daubert* motion or as a proper motion to  
14 strike, then QUALCOMM would have had the opportunity to respond  
15 to it. So the fact that ParkerVision improperly raised this  
16 issue, it should just be dismissed. I mean, QUALCOMM has never  
17 had an opportunity to file briefing in response to this.

18 The second thing is that it's just based off of a factual  
19 misrepresentation. So ParkerVision's counsel represented that  
20 Dr. Razavi's simulations were untimely. They've had  
21 Dr. Razavi's simulations for years. They had them during fact  
22 discovery. These aren't new simulations. Dr. Razavi provided  
23 these simulations. In some cases, these simulations go all the  
24 way back to the ParkerVision I case, where the Federal Circuit  
25 actually relied on Dr. Razavi's testimony about those

1 simulations. ParkerVision had a full and fair opportunity to  
2 challenge Dr. Razavi in those simulations during the first  
3 trial, and the Federal Circuit found that Dr. Razavi's testimony  
4 on those simulations was compelling. For them to argue that  
5 these simulations are late is just -- I mean, there's just  
6 absolutely no support for it, and if the Court were even to  
7 consider this issue, we would at least request a full  
8 opportunity to provide responsive briefing because it's just  
9 factually unsupported.

10 And then, finally, if the Court were to allow this Tau off  
11 over T theory into the case, because it was not raised until  
12 rebuttal expert reports, as part of filing our motion, we  
13 provided an expert declaration from Dr. Razavi in response.  
14 QUALCOMM made the argument that if the Tau off over T theory is  
15 allowed, that QUALCOMM is entitled to an opportunity to respond  
16 to that theory, and ParkerVision did not provide any dispute or  
17 response to that, and so we think it's an undisputed issue, that  
18 if the Court were to allow the theory in, that QUALCOMM's expert  
19 would be given an opportunity to respond to it at trial.

20 So with that, I think we'll -- that's our part one of the  
21 first motion.

22 THE COURT: Very good. Thank you. That's a great way  
23 to proceed. Who will be responding?

24 MR. VERBONCOEUR: I will, Your Honor.

25 THE COURT: Could you pronounce your name one more

1 time for me, please?

2 MR. VERBONCOEUR: It's Verboncoeur. It's  
3 Americanized.

4 The Court asked a question about whether the motion was  
5 timely filed, and we have some comments on that. At docket 477,  
6 the parties requested to submit both dispositive and *Daubert*  
7 briefing on February 16th, 2021, and at docket 515 the Court  
8 granted that request and so that the deadline was February 16th,  
9 and that corresponds to the docket 491, this motion.

10 THE COURT: Thank you.

11 MR. VERBONCOEUR: I want to address the comments that  
12 counsel for QUALCOMM made at the beginning of this presentation.  
13 I think a repeat that you'll hear today, especially as to  
14 document 491, is whether computer simulations were required to  
15 show the operation of the products. And what I have here on  
16 slide 139 is a citation from docket 527-3, which is QUALCOMM's  
17 expert report. And as Dr. Razavi points out, accurate  
18 mathematical models can be created for all common circuit  
19 components, and Dr. Razavi lists resistors, capacitors, and  
20 transistors. And here, just as one example, the  $\Tau$  over  $T$   
21 calculations relate to the timing of transistors, and they  
22 relate to resistors and capacitors. And as Dr. Razavi says,  
23 accurate mathematical models can be created for all of these  
24 components.

25 Could you go to slide two, please?

1                   THE COURT: But the context of that statement, though,  
2 is he -- what is the context of the statement? In other words,  
3 is he saying it can be? Does that mean a simulation is not  
4 necessary?

5                   MR. VERBONCOEUR: He's explaining in that paragraph  
6 how simulations are done. And I think we'll get into this later  
7 in one of QUALCOMM's later motions. And his position is that  
8 simulations automate equations, mathematical models of common  
9 circuit components. So before high-end computers, circuit  
10 designers needed to do a lot of these calculations by hand.  
11 With a high-end computer we can automate a lot of these  
12 calculations, but the underlying physics is the same. And what  
13 our expert did in his report for many of these things is show  
14 the calculations by hand, rather than leaving them all to a  
15 computer.

16                  First, I would like to address the argument that we are  
17 presenting these opinions for infringement. We're not. They  
18 were not in the infringement report from our expert, so we don't  
19 plan on presenting Tau over T opinions for infringement.  
20 Counsel for QUALCOMM pointed out the Crols reference. I will  
21 also direct you to paragraph 481 of the Razavi report that I  
22 just cited, and that discusses the Sevenhans reference and it  
23 has that same statement. To the extent the accused products  
24 discharged sufficient energy from the capacitor, then the  
25 Sevenhans reference satisfies this limitation, and it's the same

1 structure of argument where Dr. Razavi says, Assuming the  
2 accused products do this, then the prior art does this. And the  
3 reason Sevenhans is included in appendix A2, for instance, of  
4 our expert's opening report is to show Sevenhans has this  
5 behavior, whereas the accused products have a totally different  
6 behavior, and that's why the accused products are included in  
7 those charts, not for infringement proof. And that's, as I  
8 mentioned --

9 THE COURT: I'm sorry. You would be willing to  
10 stipulate it's not for infringement?

11 MR. VERBONCOEUR: Yes. We won't offer that as part of  
12 our affirmative case. They're not in the infringement report,  
13 and I don't think we can do that.

14 But, Your Honor, just to be clear, to the extent QUALCOMM's  
15 expert testifies to the extent the accused products satisfy this  
16 limitation, then Sevenhans satisfies this limitation. I think  
17 it's fair for ParkerVision to be able to use commonly understood  
18 principles of circuit components to show, well, in fact, if you  
19 do the math, the prior art behaves different from the accused  
20 products, and that would be directly responsive to QUALCOMM's  
21 expert's arguments on invalidity in that case.

22 The second thing I want to point out is, QUALCOMM misstates  
23 the purpose of the Tau over T calculations. Whether there's a  
24 universal rule where all energy samplers can be grouped on one  
25 side and all voltage samplers can be group on another is

1 entirely irrelevant to this case. What matters are the claim  
2 limitations at issue in the asserted patents, and QUALCOMM has  
3 the burden to show that its asserted prior art discloses those  
4 limitations. I'll get into this in more detail, but one of  
5 those limitations is whether the capacitor discharges energy to  
6 a load, and that has to occur between samples, which gives us  
7 this timing period, and it's that limitation right there that's  
8 at issue there, not developing a new theory for a general rule  
9 between energy samplers and voltage samplers. Neither of those  
10 terms appear in the claims. And also I'll point out that --

11 THE COURT: Is what you're saying, though, that you've  
12 offered this calculation in response to invalidity claims? In  
13 other words, QUALCOMM identifies prior art that teaches this  
14 particular claim of this patent, and you're claiming that this  
15 calculation demonstrates that their prior art is ineffective, or  
16 where are we going with this?

17 MR. VERBONCOEUR: QUALCOMM's argument is the prior art  
18 has the capacitor that discharges nonnegligible amounts of  
19 energy to the load.

20 THE COURT: Right.

21 MR. VERBONCOEUR: What the Tau over T calculation  
22 shows, Tau, as I'm going to explain all this in a second, but  
23 Tau measures the rate of discharge of the capacitor. If we  
24 compare it to the time between samples, then we know how much  
25 energy would be discharged compared to other, you know, types of

1       circuits, and so it's directly responsive to arguments about  
2       that limitation. There's between sampling limitations and  
3       during sampling limitations. So it would relate to both sets.

4           And we disclose the theory in 2015 in response to  
5       Interrogatory No. 7 that, in fact, the prior art doesn't  
6       disclose -- or doesn't show, teach, or disclose sufficient  
7       discharge in the capacitor to the load. We weren't required to,  
8       as part of that interrogatory response, provide a mathematical  
9       proof of that theory. It's sufficient to say, in fact, the  
10       prior art doesn't meet this limitation because it doesn't  
11       discharge from the capacitor. The role of the expert, later  
12       during expert reports, is to come back and back up those  
13       statements with, you know, mathematics or circuit analysis and  
14       all of the things that experts do.

15           THE COURT: Doesn't that hurt the -- the fact that the  
16       theory, while not voiced as  $\Tau$  over  $T$ , the calculation itself  
17       may not have been expressed as a formula at the time that you  
18       responded to interrogatories back in 2015, if I understand  
19       correctly, but it was a response saying that the prior art  
20       doesn't disclose or teach, as you put it, sufficient discharge  
21       in the capacitors to the load. If this dispute was happening  
22       back in 2015, then doesn't that support QUALCOMM's argument that  
23       this should have been in the original expert disclosure, this  
24       calculation, not in a rebuttal, and, therefore, it's untimely?

25           MR. VERBONCOEUR: I would disagree with that, Your

1 Honor, because our initial report was on infringement only, on  
2 which we bear the burden; whereas their initial report is on  
3 invalidity, on which they bear the burden. At that point, our  
4 rebuttal report shows why their initial report on the issues on  
5 which they bear the burden is wrong, and one of the statements  
6 that Razavi made is, in fact, Sevenhans, Traylor, Tayloe, all of  
7 these references disclose sufficient energy to the load, and our  
8 expert's rebuttal to that is, No, I can use math to show you're  
9 wrong.

10 THE REPORTER: Counsel, would you slow down just a  
11 little bit? I'm having a hard time understanding with the mask.

12 MR. VERBONCOEUR: Sure, I will. I'm sorry.

13 And perhaps it's best for me just to jump into an  
14 explanation of how these calculations were done. This is from  
15 QUALCOMM's expert report on slide three here. QUALCOMM's expert  
16 is explaining how Tau or the time constant, which is equal to  
17 the product of resistance and capacitance, determines the rate  
18 of capacitor charger discharge. So we express that as Tau  
19 equals RC. And this is an example from Dr. Razavi's textbooks  
20 on slide four where Dr. Razavi uses this equation.

21 And I'm citing to the reporting report of ours here, Your  
22 Honor, but I just wanted to show how it's relevant to our theory  
23 of how the inventions work. There are switch-close and  
24 switch-open states in the inventions, and where I have this red  
25 box circled there is an energy storage device, which we're

1       accusing the capacitor here, and QUALCOMM explains that the  
2       capacitor is in the prior arts products, that when the switch is  
3       open, the capacitor needs to discharge energy into a load. The  
4       rate of that discharge will be determined by Tau. The amount of  
5       that discharge will be determined by the length of time the  
6       switch is open.

7           And this is an excerpt from our opening report but  
8       essentially saying what I just said, you know, depending on the  
9       capacitance, the load, and the length of time the switch is  
10       open, that will determine how much a capacitor will discharge.

11          And this is more for illustrative purposes, but an  
12       introductory electronics course might have a lab where you have  
13       a light bulb, which is a load, and battery and then a capacitor  
14       between, and you can measure how much the capacitor will  
15       discharge into the light bulb. And different amounts of  
16       resistance and different amounts of capacitance will lead to a  
17       brighter or dimmer bulb. We see this in everyday life when, for  
18       example, we unplug a laptop charger, if the laptop charger has a  
19       light on it, it might slowly dim or it might quickly turn off,  
20       and the reason it behaves differently between chargers depends  
21       on resistance and capacitance and the circuits at issue.

22          Now, we're dealing with samplers here. So it's a bit more  
23       complex. We could imagine plugging in the laptop charger and  
24       plugging it out of the wall over and over and measuring how the  
25       light on that laptop charger changes between plug-ins, and that

1 would be more akin to what we're doing here, which is why it's  
2 important for us to look at time.

3 This is also for illustration purposes, but what we have  
4 here is a homework assignment from the introductory lab course,  
5 and there's a comparison here between Tau and T. And what the  
6 student is supposed to do with this homework assignment is  
7 compare these two values to determine the behavior of a  
8 capacitor. And where Tau is way larger than T, we might not see  
9 appreciable discharge; whereas where the opposite is true, you  
10 might see appreciable discharge.

11 And just for clarity and for the record here, on slide  
12 eight, we added those red lines. This wasn't a completed  
13 assignment.

14 So I want to make this point clear. QUALCOMM's counsel  
15 argued that no reference anywhere uses this theory. We  
16 disagree. There is a reference cited in Dr. Razavi's report  
17 that uses the same calculation as I'm about to show you. And  
18 the point that Dr. Razavi is making in citing this reference in  
19 paragraph 214 of his report, at footnote 167, is that a person  
20 of skill in the art would have found it obvious to modify one of  
21 the prior art references to discharge more between samples. So  
22 we have Tau discharge between samples time.

23 And Dr. Razavi cites to this reference called Dethlefsen  
24 that describes ways that we can express sampler efficiency. And  
25 this first equation is an exponential equation, but what he's

1 talking about is the efficiency of the sample and hold circuit,  
2 which was something that was known in the prior art. And you'll  
3 see that there's a T value divided by RC. And the experts agree  
4 RC stands for Tau.

5 The reference goes on to establish another variable. This  
6 is called hold efficiency. So in the prior art, the samplers  
7 had the opposite goal of ParkerVision's invention. The  
8 capacitor was supposed to hold energy and not discharge it.  
9 Whereas in ParkerVision's invention the goal is for the  
10 capacitor to discharge that energy to the load.

11 And just like in our expert's theory and calculations, T is  
12 a sampling period and R is the load resistance, just like in our  
13 expert's theory, and C is the capacitance. And I'll note that  
14 this reference says that an ideal hold efficiency would have  
15 infinite load impedance. Whereas in ParkerVision's inventions,  
16 something like the opposite would be true.

17 The paper -- and I'm still citing to the Dethlefsen  
18 reference from the Razavi report -- simplifies these equations.  
19 When there are low sampler efficiencies -- and this is on slide  
20 12 -- what I want to point out here is that low sampler  
21 efficiencies in the prior art meant the opposite of  
22 ParkerVision's inventions, meaning that when we have appreciable  
23 discharge, we can model our equations to look like this on slide  
24 12. And, again, this is Dr. Razavi's report, at paragraph 214.

25 And I just want to do some simple algebra or rearranging of

1 the equations to show you that they're the same thing. Our  
2 expert's calculations on the one side are Tau over T, and recall  
3 that's R times C over T. On the other side, you have 1 minus T  
4 over Tau. And these are two of the same coin. There are  
5 different ways of expressing ratios. So if we have Tau over T  
6 equals 1, then on the other hand, the Dethlefsen hold efficiency  
7 would equal zero. If we have Tau over T equals 10 -- and you  
8 can go on and on with the different calculations, but at bottom  
9 it's a way of expressing a ratio.

10 When you're looking at voltage sample in hold circuits, it  
11 makes sense to express the ratio with the maximum limit of one,  
12 where one would be your ideal sampler. When you're looking at  
13 ParkerVision's inventions, we can rearrange the variables so  
14 that we're not dealing with tiny numbers, instead we can deal  
15 with numbers greater than one so that it's easier to read. But  
16 at bottom, the methodology, which is what *Daubert* is all about,  
17 is the same. That is the same as a reference cited by  
18 QUALCOMM's own expert to show how one of skill in the art would  
19 change the discharge of the capacitor between samples.

20 And I'll note here on slide 14, Your Honor, that Sevenhans,  
21 that was cited in paragraph 481 of Dr. Razavi's report with the  
22 comparison between the products, is listed here as one of the  
23 references tested, just to show why we included the accused  
24 products to show the juxtaposition.

25 Sorry. For the court reporter, slide 14 should be sealed.

1       And what these calculations ultimately resulted in using  
2 the load resistance values, the capacitance values, was a  
3 measure of energy dissipated in the load when the switch is  
4 closed or when the switch is opened.

5       And I don't think QUALCOMM has any issue with these  
6 calculations using the same equations. I think their sole issue  
7 was whether to express the ratio the way we did. But as I  
8 showed the Court, QUALCOMM's own reference expresses it in a  
9 very similar way, which I take as an endorsement of the  
10 methodology when Dr. Razavi cites to the reference to show how  
11 one of skill in the art would go about modifying the capacitors  
12 on Tayloe.

13           THE COURT: You're probably going to get to it, but  
14 what do you make of QUALCOMM's argument that the calculation  
15 that you use to distinguish voltage versus energy sampler  
16 doesn't work when it was applied by QUALCOMM's expert? I think  
17 it was to figures 126, 127, and 129. And this goes to  
18 reliability of the methodology, as well as the fact that  
19 Drs. Steer and Allen differ dramatically on some key points. I  
20 don't want to take you off your argument, but it seems like you  
21 were kind of getting there.

22           MR. VERBONCOEUR: Yeah. I could address that now.

23           Mr. Burgess, slide 37.

24           As an initial response, I think this is something of a red  
25 herring to the Daubert analysis, where the Daubert analysis, as

1 we heard, is about expert testimony, it examines the reliability  
2 of the methodology applied. Here, we're looking at the  
3 equations and the ratio. Whether the patents disclose it is an  
4 attack on the patent, rather than an attack on the admissibility  
5 of the expert's testimony. Those are two separate inquiries.

6         But even assuming it's relevant, QUALCOMM'S argument about  
7 figures 126A, et cetera, are misguided. What figure 126A  
8 discloses is a switch module. And what I suspect QUALCOMM would  
9 point out to you is that we submitted a declaration in support  
10 of our motion in opposition -- or sorry -- in support of our  
11 opposition to QUALCOMM's motion for partial summary judgment  
12 back in 2019. But we relied on figure 126A to show a switch  
13 module, not to show discharge from a capacitor into a load.

14         The reason that's important -- and I'm on slide 39 now --  
15 that relates to a different part of the invention. A switch  
16 module, circled in red here, is the component that opens and  
17 closes, whereas whether the capacitor discharges into the load  
18 is a different part of the invention. And what's important --

19             THE COURT: Do you use the same calculation, though,  
20 to determine whether it's voltage or energy? I don't really  
21 understand how that works, but the point that I think is being  
22 made by QUALCOMM is that, if you take your calculation, which  
23 comes up with a figure above which it's voltage, below which is  
24 energy, or reverse, whatever it may be, and when it's applied to  
25 figures 126, 127, 129, it doesn't work. It doesn't come up with

1 the analysis that you want, which means it's not scientifically  
2 reliable. That's the point they seem to be making.

3 MR. VERBONCOEUR: Yes. I have three responses, Your  
4 Honor. First, whether that means it's scientifically reliable  
5 is a red herring. The reliability would center on the equations  
6 themselves and would be independent of what the patent says.  
7 Again, this would be an attack on the patent.

8 THE COURT: If as applied it doesn't work, it doesn't  
9 work, it doesn't work, right? I mean, the jury doesn't get to  
10 hear a theory that -- you can simplify it, but the jury doesn't  
11 get to hear a theory that doesn't work, simply because an expert  
12 says, I have a methodology that takes me to the wrong answer.  
13 You can't have an expert say, One plus one is seven, and have  
14 the jury hear it because the expert says, I say so. That's  
15 clearly not allowed.

16 So they're arguing that the reliability, peer review, which  
17 I haven't heard you speak to yet, testing -- and I'm drawing a  
18 blank on the last one, but we all know what it is -- and their  
19 argument seems to be as applied it doesn't serve the function  
20 that you're offering it for, and, therefore, the jury shouldn't  
21 hear it, because all they're going to hear is the end of the  
22 story.

23 MR. VERBONCOEUR: Right.

24 THE COURT: We all know the jury isn't going to follow  
25 99 percent of what you all are talking about in this trial.

1 Right? We all know that. They're going to come up with a rough  
2 idea of who deserves to win, but the nuances of a patent case,  
3 there's no way a jury understands this --

4 MR. VERBONCOEUR: So the direct --

5 THE COURT: -- which is why this shouldn't be tried to  
6 a jury, in my opinion. They will never understand. This should  
7 be PTAB 100 percent. We should be out of it, but that's how it  
8 is.

9 MR. VERBONCOEUR: I understand your question, I think,  
10 Your Honor. And the issue is that the patent, including figure  
11 127, speaks to two different kinds of samplers. One, the patent  
12 calls under-sampling, and the other the patent calls energy  
13 transfer or energy sampling. Figure 126A would apply to both.  
14 And the purpose of 126A is a switch module, and so it happens to  
15 be that the resistance value shown there would be in the  
16 under-sampling category, which the claims don't cover. So we  
17 don't think there's a dispute there.

18 So I have here on slide 40 where the patent talks about  
19 discharge between samples, which are called pulses here in the  
20 patent. And in the section of review of under-sampling -- so  
21 again, this is at voltage sample on hold, the voltage sampler  
22 reference that I showed earlier -- the capacitance does not  
23 significantly discharge, which would be consistent with figure  
24 126A. Whereas with the energy transfer scenario, the  
25 capacitance is significantly discharged by the load between

1       pulses.

2           And just to go back to slide 38 here -- or is it 37 here --  
3       again, the patent describes figure 126A as a switch module,  
4       which is independent of the later discussion about which  
5       capacitance and which resistors you ought to use if you need  
6       significant discharge.

7           THE COURT: What about Allen and Steer taking  
8       different views of the application?

9           MR. VERBONCOEUR: I can address that too, Your Honor.  
10       The disagreement between Allen and Steer is the factual inputs  
11       of what goes into the equation, not whether the equation is  
12       valid.

13       Mr. Burgess, could you go to slide 23?

14       Counsel for QUALCOMM pointed out that there was a  
15       disagreement over which time value was appropriate for at least  
16       some of the references, and first point on that is that that is  
17       a classic fact disagreement. What does the reference disclose  
18       as the sampling time? You would read the reference, and the  
19       experts might disagree about how a person of skill in the art  
20       would read that reference.

21       What's not being contested with that disagreement is that  
22       Tau over T is unscientifically invalid ratio. So those are two  
23       separate questions. But even looking at this disagreement, I  
24       have on slide 23 here a deposition transcript from Dr. Steer,  
25       and he's explaining his response to Dr. Allen.

1       So question, "So Dr. Allen agreed that he should have used  
2       a 75 percent off time, correct?"

3           Answer -- and I'll skip the very first sentence -- "It  
4       could take me a long time to take, but he says that even with  
5       Dr. Razavi's modifications we get these results. And a clear  
6       example of this is Estabrook is driven by a sine wave. So it  
7       doesn't really make sense to talk about duty cycle. And in any  
8       case, it wouldn't be 25 percent," which is what Dr. Razavi said  
9       it was.

10          And so what Dr. Steer is explaining here is that these  
11       calculations assumed, first, best case scenario for Dr. Razavi.  
12       You get all of your assumptions. We can still show there's a  
13       difference between your references and the claim limitations at  
14       issue.

15          And second, Dr. Steer explains later the duty cycle times,  
16       which I'll show in this next slide were normalized here as a way  
17       of comparison. So if we assume they all have the same time,  
18       which is a fact in dispute between the parties, it doesn't  
19       matter because the prior art still doesn't show discharging the  
20       capacitor to the load between samples as required by the  
21       asserted claims.

22          I've highlighted on slide 24 here why Estabrook is labeled  
23       Estabrook 25 percent. Dr. Steer didn't testify to why it was  
24       called that specifically in the chart, but I think it's  
25       consistent with his testimony that there was an assumption about

1 modifications made to the circuits in order to compare them more  
2 readily, and to sort of say, Dr. Razavi, fine, all of your  
3 modifications, let's assume them. You're still wrong.

4 You had a question about some of the *Daubert* factors, Your  
5 Honor, and so I'll go through these. The fact of publication, I  
6 think we've got that. We cite to in our brief and in these  
7 slides a textbook by Dr. Razavi. We cite to in our brief  
8 multiple published papers by Dr. Razavi. And I will point out  
9 one of those papers, I think it's the integrated capacitor -- or  
10 sorry. I'm forgetting the exact name. But one of those papers  
11 by Dr. Razavi uses the same equation we saw in the voltage  
12 sample and hold efficiency reference, but what it didn't do was  
13 simplify it to a ratio for comparison's sake. But the rate of  
14 discharge of the capacitor using T and Tau, before we did some  
15 math, was present in his paper. And I don't think that there  
16 was any argument about the manipulation to express a ratio, as  
17 far as whether it's valid to divide to express a ratio or that  
18 sort of thing.

19 Widespread acceptance, I will readily admit, Your Honor,  
20 that not a lot of cases are about patent claims and whether  
21 capacitors discharge to a load, and so there's not a need for a  
22 ton of these comparisons. But the fact that it was cited by  
23 Dr. Razavi, that is the reference showing hold efficiency, which  
24 is the same thing we're doing, I think indicates acceptance of  
25 this technique, particularly when Dr. Razavi was talking about

1 what one of skill in the art would go to to look about how to  
2 modify the capacitor to discharge more between samples.

3 And I know you're familiar with the law here, Your Honor,  
4 but I do want to make a point that that at most this question  
5 about Estabrook and the time it's on and these sorts of things,  
6 those are disputed facts. Those get plugged into the equation.  
7 And that is the methodology at issue. It's not the correctness  
8 of facts. And there's nothing that says experts can't ever  
9 disagree with one another about what a reference discloses. It  
10 doesn't fail *Daubert*, for instance.

11 Now I want to address the question of whether we disclosed  
12 this theory in response to Interrogatory No. 7. We did. And  
13 this interrogatory requests that ParkerVision provide the bases  
14 for its validity contentions. And to list one example, and  
15 you'll see on slide 28 that two separate responses are listed  
16 here because one set of references QUALCOMM disclosed in 2015  
17 before it filed IPRs, another set of references they disclosed  
18 in 2020 after they filed IPRs, and so we updated our response in  
19 response to the prior art too in 2020. So there's a 2015  
20 response and a 2020 response.

21 What ParkerVision said to QUALCOMM in 2015 is that the  
22 references fail because little to no energy must be transferred  
23 to the capacitor between aperture periods. Aperture periods are  
24 referring to sampling here. And this is exactly the same theory  
25 that our expert expresses in his rebuttal report, that there is

1 no periodically -- there is no -- in between periodic couplings,  
2 no energy would be provided to the load, and that is the same  
3 statement that was made in 2015.

4 And what I have here on slide 30 is actually our  
5 September 15th, 2015, response to QUALCOMM's Interrogatory  
6 No. 7, which is put over this equation. It's the proper  
7 interrogatory response to explain the theory of the case. Your  
8 art fails because it doesn't discharge from the capacitor to the  
9 load. Then it's the role of the expert to come and use expert  
10 methodology to create a way of expressing that with scientific  
11 principles, such as Tau, which is a well-known ubiquitous  
12 concept of discharge from a capacitor.

13 This here, slide 31, is to show that it is in fact the  
14 dispute between the parties as to whether the capacitors charge  
15 or discharge as required by the claims. And, again, I'll point  
16 out this is a different calculation than whether we can create a  
17 bright-line rule to distinguish all energy samplers ever and all  
18 voltage samplers ever. That's a straw man of our position for  
19 how these should be used. We have to look at the particular  
20 prior art references that QUALCOMM has the burden of proof on.

21 And we're not affirmatively moving to strike QUALCOMM's  
22 simulations, including the ones that weren't disclosed in 2015.  
23 Our point is more, if you take their approach, that is, that  
24 this equation needed to be in interrogatory responses, on slide  
25 30, then all of their equations that weren't in their

1 contentions would fail for the same reason. We don't think  
2 that's an appropriate *Daubert* challenge or an appropriate motion  
3 to strike, but the point is, there's an inconsistency in those  
4 positions, where of course their expert expounded on the  
5 theories they disclosed and used math and other scientific  
6 methods to explain those theories, and that's exactly what  
7 QUALCOMM did, and it's exactly what we did.

8 Now, this is slide 33, and it should be sealed, according  
9 to this highlighting here.

10 So QUALCOMM argues and they argue today that we didn't  
11 disclose the theory. We disagree. It was in words, and that's  
12 fine for an interrogatory response.

13 THE COURT: You said, "It was in words," correct?

14 MR. VERBONCOEUR: That is correct.

15 THE COURT: Yes. So you pointed to the interrogatory  
16 where you gave the explanation and then later the expert puts it  
17 into a calculation, as you've articulated.

18 MR. VERBONCOEUR: That's correct, Your Honor. And to  
19 be clear, we did this for all of the references, but rather than  
20 going through this 40 times, I put one, and we identified the  
21 missing claim limitations in each reference, and those claim  
22 limitations relate to discharge.

23 And the last comment I think I'll have, unless you have  
24 more questions, Your Honor, is just to show the patent claim and  
25 why it's relevant. Again, we're not in the creating universal

1 rule to distinguish all voltage samplers from energy samplers  
2 territory. We're in the, does the highlighted claim limitation  
3 occur in the prior art, which QUALCOMM has the burden to show.

4 Our expert uses phrases like voltage sampler, energy  
5 sampler, because that's what people of skill in the art do, but  
6 he's really -- and he does talk about this particular claim  
7 limitation here, and that's what's important.

8 Thank you.

9 THE COURT: Thank you. Let me take a short break now  
10 before going we go to the next section. I just want to be  
11 mindful of my court reporter and give her a little time in  
12 between.

13 MR. GARDNER: Your Honor, can I address a couple of  
14 points that were raised there?

15 THE COURT: Sure.

16 MR. GARDNER: And then we can take a break --

17 THE COURT: Certainly.

18 MR. GARDNER: -- before we do the next actual motion.

19 THE COURT: Yep.

20 MR. GARDNER: Matt, can you go to slide 16, please?  
21 Sorry, 18.

22 So I want to start ParkerVision's counsel has said we've  
23 not characterized their theory accurately, and I think there are  
24 two really important things I want to point out here.

25 One is, I want to point out what the calculation that

1 they're using in this case is. So as we can see here, this is a  
2 direct copy from ParkerVision's rebuttal report, and in here the  
3 calculation, it says, DeMaw is a voltage sampler -- or the  
4 results show that DeMaw is a voltage sampler, and Tau off -- and  
5 this is really important. Let's remember this off part of this  
6 because ParkerVision's counsel just did a long thing about this  
7 shows up in the prior art or in the Dethlefsen paper, and that  
8 is just absolutely not correct. The second -- and then it says,  
9 over T off equals 364. And then the conclusion their expert  
10 draws from that calculation is that he can testify to a jury  
11 that as a voltage sampler, I've used this calculation to define  
12 it as a voltage sampler, and as a voltage sampler, it's distinct  
13 from the energy sampling claims -- or energy sampling methods of  
14 claims 1 and 10 of the '907 patent.

15 He's using the equation as a rule to tell you it is a  
16 voltage sampler, and, therefore, it is outside the claims.  
17 That's directly from the expert report. This isn't my  
18 testimony. This isn't counsel's testimony. This is the expert  
19 report that was submitted in this case.

20 I also think that it is so important to mention that this  
21 says Tau off over T off. ParkerVision's counsel just spent a  
22 lot of time going through the Dethlefsen paper, and if we go --  
23 I know the Court -- I don't have, obviously, access to their  
24 slides, but for example, page 12 of the Dethlefsen paper that  
25 they cite to is Tau on.

1       There's two important things. One, they didn't cite the  
2 Dethlefsen paper in their opposition on this. So they're sort  
3 of springing this at the hearing and saying, Oh, you know, we  
4 didn't meet our burden in opposition, but we'll raise this new  
5 argument right in front of the Court, and the new argument, if  
6 anything, supports us. This says, Tau on. This is a completely  
7 different calculation, and this is exactly our point. So for  
8 ParkerVision's counsel to, you know, not cite this in their  
9 opposition and then come to the hearing and say, Well, this is  
10 exactly what proves our case, is startling because this is a  
11 completely different calculation.

12       The calculation that's right here on the screen in front of  
13 you, exactly what their expert did, is Tau off. If  
14 ParkerVision's expert wanted to rely on a different calculation,  
15 he needed to tell us that a while ago so that we could address  
16 that. What their expert -- and, you know, making a comparison  
17 between a prior art that uses a totally different calculation --  
18 Tau on and Tau off are two totally separate things. Those  
19 aren't the same RC time constant. One a Tau off, and one is Tau  
20 on. They're two different things. And so to cite this  
21 reference to somehow suggest that it is supportive is just  
22 completely incorrect.

23       The second thing is that they've said, Well, we'll  
24 stipulate that we're not using this for infringement purposes.

25           THE COURT: Their whole argument has been about your

1 prior art invalidity arguments.

2 MR. GARDNER: Right. So --

3 THE COURT: That they're offering this theory to say  
4 that your prior art does not teach the actual patent.

5 MR. GARDNER: And if the Court were to let in that  
6 part -- but there's no purpose. I mean, they're putting in our  
7 prior art products to say, Well, these do fall inside the  
8 claims, and these other things do not fall inside the claims.  
9 So they want -- there's no reason to include the prior art -- or  
10 sorry -- QUALCOMM's products. There's just no reason to include  
11 QUALCOMM's products in that. And it would be very misleading to  
12 a jury. How does a jury say that, Oh, the reason that you're  
13 using it, to show that these are inside the claims. That's not  
14 for infringement. I'm going to block that out in my head and  
15 say, You're not using that for infringement, when you're telling  
16 me --

17 THE COURT: Wouldn't that be an instruction, an  
18 interlocutory instruction? So as the jury is sitting there --  
19 let's assume that the purpose -- the explanation I heard from  
20 your colleague and you heard as well, is that the calculation  
21 came up in the rebuttal expert report because it was in response  
22 to your expert's initial disclosure of the prior art analysis.  
23 So your expert, as we all know, issues his report or her report  
24 describing why invalidity should apply, that there's prior art  
25 that teaches all this and it's obvious and whatever. And then

1       their expert now responds, rebuttal. So there's no way for them  
2       to give a rebuttal opinion until they see your disclosure. I  
3       mean, we all know that you all have been talking back and forth.  
4       None of this is really secret. There's been invalidity  
5       contentions and, you know, prior art references and so forth.  
6       But still, the disclosure chain of events is Plaintiff's  
7       disclosure on their burden, you on your burden, response,  
8       response. So they're arguing that the rebuttal is not sinister  
9       because it goes only to prior art, not to invalidity. And you  
10      heard me say to them, So you stipulate it's not invalidity.

11            MR. BRIGHAM: Not infringement.

12            THE COURT: I mean -- I'm sorry -- not infringement.  
13        And what I would envision, the reason I asked that is to pin  
14        that down to be able to say to a jury, assuming that the  
15        calculation stands for all of the other reasons you've argued,  
16        is to say to a jury at the appropriate time, whenever the  
17        experts get into this portion, that this theory, this  
18        calculation only goes to invalidity and remind them, in as plain  
19        a language as possible, that there's separate burdens here on  
20        infringement versus invalidity, and this calculation only goes  
21        to invalidity arguments, not infringement. That's why I asked  
22        that because that's what they seem to be arguing. So their  
23        position is that they're speaking to a response of your prior  
24        art references.

25            MR. GARDNER: And if Your Honor were to go that route,

1 we would just want to make clear that they couldn't present the  
2 calculations. If they wanted to perform these calculation and  
3 apply it to QUALCOMM's products, then they should have done that  
4 in the first instance. That should have been in their opening  
5 report --

6 THE COURT: Why?

7 MR. GARDNER: -- not in a rebuttal report.

8 THE COURT: Why? If it's not for invalidity -- or  
9 infringement, I'm sorry. I keep saying invalidity. If it's not  
10 invalidity, why would they put it in their opening report?

11 MR. GARDNER: Well, because --

12 THE COURT: They say in the interrogatories  
13 essentially the same thing, without a calculation. Then your  
14 expert comes up with their theory of invalidity, points out the  
15 specific prior art they can rely upon. QUALCOMM comes in and  
16 says, Nope. That prior art doesn't work. Here's why.

17 MR. GARDNER: Right. I think, Your Honor, I mean, my  
18 view is that's all discussion of the prior art and discussing  
19 the accused products in that context just doesn't make sense.  
20 It's just an end run to get this in front of the jury for  
21 purposes of infringement. But I understand. You know, between  
22 the two of us you have much more experience with juries, and if  
23 you think the instruction would be curative --

24 THE COURT: It's the best you can do with a jury.

25 MR. GARDNER: Right.

1                   THE COURT: You know, like you, you know, before I did  
2 this job, I probably tried about 90 jury trials on product  
3 liability cases and everything from organized crime to product  
4 liability, and they can understand things at a broad-brush  
5 level. They tend to reach the right decisions. But the job of  
6 a judge is something different and that is to give them as much  
7 guidance along the way in real-time, in plain English, to help  
8 them understand what to do and then they do the best they can.

9                   MR. GARDNER: So I have two more points and then I  
10 want to allow the court reporter a break before we move on to  
11 the next portion.

12                  The two points are, one, ParkerVision raises this new  
13 argument today about that they actually did disclose this theory  
14 in their contentions, and, again, that argument wasn't presented  
15 in the briefing, and --

16                  THE COURT: Experts do, though, put a lot of fine  
17 points beyond interrogatory answers, don't they?

18                  MR. GARDNER: We completely agree, but we think that  
19 this is a theory that would have needed to be disclosed. You  
20 know, ParkerVision points to this statement that little to no  
21 energy -- on slide 28 of their presentation, little to no energy  
22 must be transferred from the capacitor between aperture periods.  
23 We don't think there's any scientific link between that  
24 statement and this Tau off over T. I understand that counsel  
25 has presented its attorney argument, but we're not aware of

1 anything that uses Tau off over T in that sense. Again, they  
2 pointed to some paper that's newly cited that uses Tau on, but  
3 that's a very different calculation than Tau off.

4 Next, ParkerVision's counsel now says that the '177 -- or,  
5 I'm sorry, that the figure 126 is not an embodiment of these  
6 claims. That's exactly contrary to what their expert  
7 represented to this Court in a declaration. So if we look at  
8 docket 327-1, at paragraphs 12 through 14, their expert provides  
9 a very detailed analysis as to why figure 126 of the patent is  
10 an energy sampler and why it relates to claim one of the '907  
11 patent. So for ParkerVision's counsel to now say that, no, that  
12 this doesn't relate to the claims at issue and it doesn't relate  
13 to energy sampling is directly contrary to both what Dr. Razavi  
14 has said in the direction that's submitted to the Court and what  
15 Dr. Allen.

16 So I don't think you need to consider the attorney  
17 arguments on this. You can look at what the experts said, and  
18 both experts agree. That is undisputed, that both experts agree  
19 that it relates -- that figure 126 relates to claim one of the  
20 '907 patent, and it is not reliable -- their theory it is not  
21 reliable because what their expert relied on for claim one of  
22 the '907 patent is figure 126. And their theory would take  
23 figure 126 and make it a voltage sampler instead of an energy  
24 sampler.

25 I think that that's sufficient, Your Honor. I think you've

1 heard enough on this.

2 THE COURT: I appreciate that last point. Just to  
3 summarize, to make sure I'm correct, your position in your oral  
4 presentation to begin was that if you apply the calculation to  
5 figure 126, the patent doesn't work as described, right? And  
6 then Plaintiff's counsel came up and said, Nope, that's a  
7 different function. I forgot what he called it, but I wrote it  
8 down, but the --

9 MR. GARDNER: He said it was a switch module.

10 THE COURT: Yes, switch module.

11 MR. GARDNER: It doesn't relate.

12 THE COURT: Correct. Switch module, therefore, it  
13 doesn't matter that applying the calculation would not work.

14 MR. GARDNER: Right, and I --

15 THE COURT: Your response, of course, is to look at  
16 the declaration where it was described as related directly to  
17 claim one of the '907.

18 MR. GARDNER: Yeah. And I want to be clear that both  
19 Dr. Razavi has submitted a direction on this and Dr. Allen. So  
20 it's both. ParkerVision's expert has submitted a direction that  
21 ParkerVision has relied on in this case and Dr. Razavi.  
22 Dr. Razavi actually goes through additional evidence. He goes  
23 through the fact that ParkerVision relied on it during claim  
24 construction in order to be able to provide discussion of how  
25 energy samplers work. I mean, he goes through the whole litany

1 of times when ParkerVision has relied on it. So he goes through  
2 that in detail and discusses why their analysis doesn't work for  
3 something that they have relied on as an energy sampler. And  
4 for them to sort of disclaim it as an energy sampling circuit  
5 today, I mean it's just pure attorney argument.

6 THE COURT: Why wouldn't that go to cross -- in a  
7 typical *Daubert*, I understand your point about you have  
8 reliability, peer review, tested, and so forth. Those are not  
9 necessarily the be-all and end-all of admissibility of an  
10 expert's testimony, if the methodology is there. And it's not  
11 uncommon, as you know, in *Daubert* for the parties to disagree  
12 with the conclusion of the experts or the application of their  
13 methodology. In fact, they always do. And as a result, why  
14 wouldn't that just be relegated to cross-examination, to do what  
15 you just did, to say, Here is a declaration, you know, that you  
16 wrote that says it goes directly to claim one of '907, it  
17 doesn't work -- if that's the theory -- it doesn't work and  
18 therefore it's, you know, garbage in garbage out? Why wouldn't  
19 that be the proper result?

20 MR. GARDNER: Well, and, again, I think *Daubert* makes  
21 very clear that before we can even get to the jury on these  
22 things that we need to show some amount of reliability. And I  
23 think we walked through -- and, again, you know, we still don't  
24 have anything that uses Tau off over T off. They point to  
25 something that uses Tau on, which is a completely different

1 calculation. We do not have any -- we don't have any  
2 publications that support this.

3 THE COURT: You don't have to though. There's lots of  
4 expert testimony that's absent publication.

5 MR. GARDNER: Right. We need something though. I  
6 mean, we need something that points to the reliability of this,  
7 and all we have from the other side is just pure attorney  
8 argument. Like, their counsel has put up -- I mean, again, he  
9 put up these calculations that the counsel did themselves.  
10 There's no expert that agrees with that. So they're just -- you  
11 know, their counsel is trying to stand up here and say that, you  
12 know, it applies the science, but Dr. Razavi has a sworn  
13 declaration in this case saying it doesn't work. Right? This  
14 isn't a reliable methodology. And again --

15 THE COURT: He doesn't exactly say that, correct? I  
16 mean, that's your conclusion from his position.

17 MR. GARDNER: Actually, he goes through and he  
18 actually explains why it's not a reliable methodology. But, you  
19 know, I mean, if you would like to read Razavi's declaration, we  
20 would love that.

21 THE COURT: Sure.

22 MR. GARDNER: I mean, it is a very thorough, you know,  
23 analysis of why. But, again, it's not for the jury to be able  
24 to sort through this type of issue. Right? The jury is here to  
25 decide the ultimate issue, not to sort through whether or not

1 this is a reliable theory --

2 THE COURT: Of course.

3 MR. GARDNER: -- that should be allowed to be  
4 presented in their case.

5 And our argument focuses entirely on, at this time we need  
6 the Court to decide whether or not there's any evidence that  
7 this is a reliable theory.

8 THE COURT: Fair enough.

9 MR. GARDNER: All right. Are we taking a break --

10 THE COURT: Yes.

11 MR. GARDNER: -- and then we'll go to the next motion?

12 THE COURT: 15 minutes.

13 MR. VERBONCOEUR: Your Honor, sorry to interject. May  
14 I respond to some of these comments after the break?

15 THE COURT: You know, I want to avoid this  
16 ping-ponging forever. If there's something other than to say  
17 he's wrong and your right, I'm interested to hear it. But, you  
18 know, we're not going to get through the two days we have  
19 allocated at the rate we're going. So if it's something  
20 specific and very limited, when we come back, I'll give you a  
21 couple of minutes to do that.

22 MR. VERBONCOEUR: I'll limit it to one slide.

23 THE COURT: All right.

24 (Recess at 11:03 a.m., until 11:21 a.m.)

25 THE COURT: Thank you. The record to reflect the

1 parties are present.

2 Mr. Verboncoeur, you wanted to make a brief response or did  
3 you not?

4 MR. VERBONCOEUR: Very brief, Your Honor.

5 THE COURT: All right.

6 MR. VERBONCOEUR: If we could please have the display.

7 THE COURT: I'm sorry. Oh, I see. Mm-hm.

8 MR. VERBONCOEUR: Your Honor, the one response that  
9 QUALCOMM has as to why our calculations were unreliable,  
10 QUALCOMM's counsel pointed you to Tau on, Tau on. If you look  
11 at slide 12 here, there are two equations and we showed you the  
12 ratio B, which is the lower one, T on is first one. TS is not  
13 on our samples. That's T off.

14 And if there's any doubt, Your Honor, I think you should  
15 allow this evidence in, and cross-examination can do its part.

16 Thank you.

17 THE COURT: Thank you. All right. Thank you.

18 We're at the next issue now. Mr. Gardner, are we getting  
19 now to the combination, the cross combination, so forth?

20 MR. BRIGHAM: So the next motion is our motion to  
21 strike certain issues that are inconsistent with the Federal  
22 Circuit's prior rulings, and there is part 2A to QUALCOMM's  
23 motion that relates to the TX patents. So it would relate to  
24 the claims in the '940 and the '372 patent, and there is motion  
25 2B which relates to the receiver patents and so it would relate

1 to issues for -- or sorry -- the receiver claims, which would  
2 relate to issues for the '907 patent, as well as for claims 24  
3 and 331 of the '940 patent.

4 THE COURT: All right. Thank you. When you say  
5 inconsistent with the Federal Circuit, do you mean  
6 ParkerVision I or something else --

7 MR. GARDNER: So for --

8 THE COURT: -- for purposes of the PTAB?

9 MR. GARDNER: Yeah. For purposes of the TX patents,  
10 it's Federal Circuit's affirmance of what happened in the PTAB,  
11 and 2B, it would be the district court's decision and the  
12 Federal Circuit's decision in ParkerVision I.

13 So I want to quickly just to address a legal issue up  
14 front, and I'm going to repeat this twice because it's  
15 important. IPR decisions do have collateral estoppel effect in  
16 district court actions. There is a Federal Circuit decision  
17 from 2008 that we cited in our briefing and we cite here, the  
18 *XY v. Trans Ova* case, and in that case the Federal Circuit made  
19 clear that collateral estoppel impact of a Federal Circuit  
20 affirmance is that it applies to a district court action. The  
21 Federal Circuit has also made clear that collateral estoppel  
22 applies to unadjudicated claims that are not materially  
23 different. So if we have claim limitations that aren't  
24 materially different here as what was already adjudicated and  
25 affirmed by the Federal Circuit, then collateral estoppel

1 applies.

2       In the first case -- I'm sorry. In the IPRs related to the  
3 transmit claims in this case, the Federal Circuit affirmed that  
4 certain claims, apparatus claims, were found to be unpatentable  
5 via various prior art references. The references that we'll  
6 focus on here are what we'll term as the Nozawa combination and  
7 the Krauss combination. Those are the two different grounds  
8 that were focused on for the purposes of this motion.

9       And then for certain method claims, the court -- the  
10 Federal Circuit affirmed a very narrow issue, and that issue  
11 was -- more than anything, it was a procedural issue and not IPR  
12 about whether QUALCOMM submitted sufficient evidence in that  
13 proceeding. So the Federal Circuit did not find that, for  
14 example, the references did not disclose. What the Federal  
15 Circuit found was QUALCOMM had not submitted sufficient evidence  
16 on those points, and I think it becomes an important  
17 distinction.

18       In the interest of time, I want to sort of try to get to  
19 the core issues here. Now, in our briefing we went through in  
20 detail and we identified a number of disputes, issues where we  
21 presented our argument and said these are disputes that  
22 Dr. Steer is raising where those disputes conflict with  
23 something that happened at the Federal Circuit, and we  
24 identified a number of disputes for the Nozawa combination in  
25 our briefing, and we identified a number of disputes, issues

1 that Dr. Steer is disputing relating to the Krauss combination,  
2 and our view is very clear. If the Federal Circuit has already  
3 affirmed on these issues, then ParkerVision can't be allowed --  
4 or ParkerVision's expert can't be allowed to take contrary  
5 positions.

6 Now, I want to make clear that -- I just want to pick one  
7 example of a dispute that Dr. Steer raises which is directly in  
8 conflict with something the Federal Circuit said. So we asked  
9 Dr. Steer during his deposition, "Do you intend to take the  
10 position that Nozawa is not capable of generating a harmonically  
11 rich signal?"

12 Dr. Steer's response is, "If I'm allowed to, of course.  
13 Counsel will have to direct me on this."

14 If we look at what the Federal Circuit decided, the Federal  
15 Circuit just said, "Because it is undisputed that Nozawa's  
16 circuit is capable of producing a plurality of harmonics." So  
17 this is just one example.

18 Now, we filed our motion. ParkerVision filed a response.  
19 ParkerVision did not dispute in their response that there are  
20 material differences -- or that there are no material  
21 differences between what Dr. Steer is arguing now and the claims  
22 that invalidated during the IPR. So ParkerVision remains silent  
23 on that part, said nothing. Now, I expect that ParkerVision's  
24 counsel is going to make a bunch of new arguments today, and we  
25 would ask the Court that those arguments not be considered

1 because they were not briefed. If ParkerVision wanted to raise  
2 arguments in opposition, they needed to include them in their  
3 opposition. Now, today, is not the right time to raise all  
4 kinds of new arguments about this.

5 Instead, ParkerVision included a single response in their  
6 opposition. Their entire opposition rested on one singular  
7 argument, and that's the only argument the Court needs to  
8 address in order to decide this motion. And the argument that  
9 they raised is they said, Collateral estoppel does not apply  
10 here because the second action involves application of a  
11 different legal standard than the first action, and they cite,  
12 you know, a Supreme Court precedent for this. And I think we  
13 would all agree that we are all bound by Supreme Court  
14 precedent.

15 The problem is that ParkerVision ignores all of the law on  
16 why Federal Circuit decisions, an affirmant in the Federal  
17 Circuit's court changes this issue, and on this issue, that both  
18 the Federal Circuit has been clear, as well as the district  
19 courts. So ParkerVision in its response recites to a *Papst*  
20 decision that was out of the Eastern District of Texas. That  
21 decision has no ability to overrule what the Federal Circuit has  
22 said.

23 The Federal Circuit in the *XY v. Trans Ova* case, they  
24 actually squarely address the Supreme Court decision in *B&B*  
25 *Hardware* and cite to additional Federal Circuit precedent that

1 makes clear that if you have a Federal Circuit affirmance, that  
2 there is collateral estoppel effect on district courts. This is  
3 not the case where we only have an IPR decision and nothing  
4 more. The Federal Circuit has affirmed that, and the Federal  
5 Circuit has found that because there is a Federal Circuit  
6 decision affirming what happened in the district court -- or the  
7 IPR action, that collateral estoppel attaches. And, again, the  
8 Federal Circuit addressed specifically the Supreme Court's *B&B*  
9 *Hardware* decision in the chain of cases that is referenced by  
10 the Federal Circuit in *XY v. Trans Ova*. So we think on that  
11 point, you know, the Federal Circuit has laid down this rule and  
12 we're all bound to follow it.

13 The other thing, they cite this decision by Eastern  
14 District of Texas, and this decision has been considered by a  
15 number of courts who have all said that it conflicts with  
16 Federal Circuit precedent and they've all rejected it.

17 And so we've cited a number of decisions here. The *Cisco*  
18 *v. Capella Photonics* case by the Northern District that  
19 distinguished the *Papst* decision and addressed why Federal  
20 Circuit is contrary to that decision. So there's a number of  
21 decisions here. We just think, you know, that the *Papst*  
22 decision that ParkerVision relies on is just not applicable.

23 THE COURT: Let's assume collateral estoppel applies  
24 because of the *Trans Ova* case and then *Fresenius*, I think it's  
25 pronounced, *F-r-e-s-e-n-i-u-s, USA v. Baxter International Inc.*,

1 Fed Circuit from 2013, rejected the idea that the difference in  
2 burden of proof at PTAB re-examination and invalidity  
3 proceedings in district court, they rejected the idea that  
4 there's no collateral estoppel, so affirming what you've just  
5 said.

6 So let's assume collateral estoppel applies. What's the  
7 effect here? What exactly is out, which patents, which claims?  
8 I've looked at your comparison of Nozawa combination, and there  
9 was another combination, the Krauss combination, and you direct  
10 me to look at various claims of the '940. What patents  
11 specifically does that address?

12 MR. GARDNER: Right.

13 THE COURT: This goes back to beginning this morning  
14 where I said sometimes the argument spoke to the fact that there  
15 were dozens of claims that were invalidated at the PTAB, but I  
16 need to know which ones and what does that look like in real  
17 terms.

18 MR. GARDNER: So what we're requesting in this motion  
19 is just that -- we're just requesting that Dr. Steer's opinions  
20 that are contrary to what's happened, that he not be allowed to  
21 present those opinions. So it does not -- it's related to  
22 Dr. Steer's opinion, not to, like, the outcome on a certain  
23 claim, and, again --

24 THE COURT: Let me interrupt just for a second. The  
25 reason I asked you that is, with the '177 patent there was a

1 construction -- there was an argument about a new infringement  
2 contention after the deadline, and the argument was they should  
3 not be allowed to modify that. They agreed that it was a change  
4 in the infringement contention. That resulted in '177 being  
5 dropped because they could not make the argument for  
6 infringement. I don't know if that has the same effect here.  
7 And I don't want to get too ahead of myself, but when I was  
8 reading this, I thought there would be a net effect greater than  
9 Steer could not say something contrary to what the PTAB found.  
10 Is there some --

11 MR. GARDNER: So I'm sure that ParkerVision's counsel  
12 and QUALCOMM's counsel would disagree on this. I mean, we think  
13 that the net effect is that, you know, because Dr. Steer relies  
14 on some of these facts, that it will have the impact of, you  
15 know, invalidity issues, but, you know, that is not briefed in  
16 front of Your Honor.

17 THE COURT: Right.

18 MR. GARDNER: We haven't put that in front of Your  
19 Honor, and I think that it's completely possible that the  
20 parties would disagree about the practical impacts heading into  
21 the litigation, and, again, I just want to point to Dr. Steer's  
22 testimony.

23 THE COURT: Sure.

24 MR. GARDNER: The important thing for us is that, you  
25 know, Dr. Steer recognizes that his opinions are contrary to

1 what was said in the Federal Circuit opinion, and then he just  
2 says, If I'm allowed to, I want to say that they're contrary,  
3 and that can't be -- you know, that's not what the law allows.  
4 Right? Just because an expert disagrees with what the Federal  
5 Circuit already found, that doesn't give him license in order to  
6 be able to express that disagreement.

7 THE COURT: In your motion, do you enumerate every  
8 opinion Dr. Steer has that's contrary to the PTAB's findings, or  
9 are you going by illustration?

10 MR. GARDNER: We're going by illustration.

11 THE COURT: The reason I'm concerned about that is, in  
12 the real world when we're in front of the jury, there's going to  
13 be an objection that's contrary to the PTAB's determination and  
14 I'm not going to have any clue if that's true or not.

15 MR. GARDNER: Right.

16 THE COURT: So what happens on a practical, I'll have  
17 an objection by a lawyer in a case with a lot of experts and the  
18 objection will be that's outside of the scope of the expert  
19 report, and they just look at me as if I've memorized the expert  
20 report, which I have not, and so --

21 MR. GARDNER: I would just point you to our motion, in  
22 that it identifies at docket 491. On those pages we do identify  
23 very specific portions of Dr. Steer's report that we do want --  
24 that we do think are contrary. So for example, in our motion at  
25 footnote 5, we identify a number of the paragraphs where

1 Dr. Steer's opinions -- that contain Dr. Steer's contrary  
2 opinions, and sort of throughout our motion for each one of our  
3 arguments we identify where Dr. Steer has taken a contrary  
4 position, and so we think that, you know, if the Court -- again,  
5 because ParkerVision has not raised any dispute, right? I  
6 unfortunately looked for it in their slides, and I think that  
7 they plan on raising some disputes today, and, again, I think  
8 it's up to Your Honor on whether you want to hear that or want  
9 to consider it. You know, we're happy to respond to whatever  
10 arguments they make, but we think the time has passed for that.

11 THE COURT: Oral argument is not a time to reinvent  
12 the wheel.

13 MR. GARDNER: Right.

14 THE COURT: It's just for me to get clarity on what  
15 you already filed.

16 MR. GARDNER: Yeah. And so I think that, again, the  
17 only argument here, the only argument presented in the briefing  
18 is, you know, does collateral estoppel apply.

19 THE COURT: Right. It does.

20 MR. GARDNER: Okay. And I think on that point, then,  
21 we've identified the paragraphs, and, you know, if there were to  
22 be a dispute between counsel on that, I mean, I think that the  
23 parties can reasonably work through those, but we've identified  
24 the issues where there's -- again, in our briefing both for  
25 Nozawa combination and the Krauss combination and go from there.

1       We don't have anything more on this part of 2A -- if you want to  
2       just split the 2A, like just address the TX side of things and  
3       then move to the RX side of things, then we can do that.

4                   THE COURT: Is the second part dealing with  
5       ParkerVision I?

6                   MR. GARDNER: Yes.

7                   THE COURT: So let's take them one at a time, if we  
8       can, since there's different issues in play.

9                   A little bit of my confusion, gentlemen, is just simply  
10       that in the motion there was discussion of the combinations,  
11       anticipating certain aspects of the patent, like the  
12       integer-multiple harmonics in the '940, and I thought that  
13       because you were spending time discussing about what was  
14       anticipated -- what the PTAB found to be anticipated, that  
15       somehow if that produces collateral estoppel, that would have  
16       some impact on the ability to prove a claim. That's why I got  
17       where I got, and I think I just went off the rails a little bit.

18                   MR. GARDNER: No. I think it's a difficult case.

19       There's lots of years of history. We've all lived it.

20                   THE COURT: You have.

21                   MR. GARDNER: I've been there through a lot of it.

22                   THE COURT: Okay. Thank you so much.

23       Mr. Verboncoeur.

24                   MR. VERBONCOEUR: The dispute between the parties here  
25       that was raised in the briefs is whether *B&B Hardware* applies,

1 and I want to go through all of QUALCOMM's cases and be very  
2 clear on what they stand for.

3 THE COURT: I have read all of those cases carefully,  
4 and let me just tell you my assessment. *B&B* dealt with a PTAB  
5 determination in the Eighth Circuit using different factors in  
6 the PTAB to determine likelihood of confusion in a trademark.  
7 And the Supreme Court said, you know, the fact that their not  
8 using the exact same criteria doesn't matter for determining  
9 likelihood of confusion of the trademark. I don't really think  
10 it -- and I may have missed it, but it didn't seem like it  
11 directly spoke to the issue here, burden of proof in the PTAB  
12 versus final resolution of the Fed Circuit.

13 Then you get down to the cases cited by your colleague,  
14 *Trans Ova Genetics* and the one that I cited, which may have been  
15 cited or directly within the other case. I can't recall.  
16 There's a Northern District of California case which cited both  
17 of these. Both said from the Fed Circuit pretty directly  
18 collateral estoppel, doesn't matter the burden of proof is  
19 different, once it's final and approved on appeal or affirmed on  
20 appeal, end of story.

21 MR. VERBONCOEUR: I can go through those, Your Honor.  
22 The *XY* case, I think that's the Plaintiff's name, those applied  
23 to claims that were invalidated. Here, the PTAB and the Federal  
24 Circuit affirmed that ParkerVision's asserted claims are valid.  
25 QUALCOMM lost their challenge. So *XY* does not apply. It only

1 applies to claims held invalid.

2 *Fresenius*, the same thing, the patentee is barred from  
3 relitigating claims held valid. The patentee, of course, can't  
4 be barred from litigating claims that were found valid by the  
5 PTAB, particularly when the claim limitations at issue and the  
6 ones QUALCOMM raises, the PTAB and then the Federal Circuit,  
7 over QUALCOMM's argument and during the stay in this case, found  
8 were not present in the prior art because QUALCOMM failed to  
9 prove its case. And I plan to go through that.

10 And then I'll also address the *MaxLinear* case. And the  
11 *MaxLinear* case is different than *Fresenius* and *XY*, and it stands  
12 for the proposition that identical but unadjudicated claims can  
13 be estopped.

14 Here, we have adjudicated claims, that is, QUALCOMM  
15 challenged claims 25, 26, 368, and 369 -- I think I have those  
16 numbers right -- of the '940 patent. They lost on all of them.  
17 And the Federal Circuit and the PTAB both explained that the  
18 reason they lost, they failed to show the method claim  
19 limitations were in the prior art. They failed.

20 Now, this is a bit sly what QUALCOMM is doing. What  
21 they're saying is because the Federal Circuit affirmed the PTAB  
22 what the prior art was capable of, Dr. Steer is not able to  
23 testify about the method limitations. And I would like to --  
24 there's a legal difference there that the Federal Circuit  
25 grappled with in its decision affirming the PTAB, and the PTAB

1 grappled with the same issue. So if you'll indulge me, Your  
2 Honor, I would like to go through that because I think it's a  
3 very important difference.

4 So these claims, the ones underlined, Your Honor, on slide  
5 47, 25, 26, 368, and 369 are asserted here. They were  
6 challenged by QUALCOMM at the PTAB. QUALCOMM lost, and there is  
7 no law that says that estoppel applies to claims found valid by  
8 the PTAB and then affirmed by the Federal Circuit. It's always  
9 to estop the patentee from asserting invalid claims, or in the  
10 *MaxLinear* case, the different issue is whether you have an  
11 identical claim that wasn't challenged, but, here, QUALCOMM  
12 challenged and lost.

13 I'm citing this on slide 48 from the Federal Circuit  
14 because the Federal Circuit -- and this is consistent, I think,  
15 with the *B&B Hardware* decision -- that you have to have a common  
16 issue. In *B&B Hardware*, the difference was the legal standard,  
17 which I think applies here, but there's also the core  
18 requirement of collateral estoppel, that the issues need to be  
19 the same. What the Federal Circuit is saying here in affirming  
20 the PTAB is that the method claims present a different story, a  
21 different issue that is, and more was required of QUALCOMM in  
22 its challenge with respect to the method claims. And here, the  
23 Federal Circuit is saying, It's not enough that an apparatus is,  
24 quote, capable of a limitation. The apparatus must do that  
25 limitation. And what QUALCOMM is trying to do with Nozawa,

1 Phillips, and the other references is argue because the Federal  
2 Circuit found the reference was capable of, Dr. Steer can't  
3 contest the method limitations, the very method limitations that  
4 QUALCOMM lost on at the PTAB and at the Federal Circuit.

5 And this is from the final decisions in one of the IPRs,  
6 and this specifically deals with Nozawa and specifically deals  
7 with the asserted claims in this case, 25, 26, 368, and 369 of  
8 the '940 patent. And what the PTAB found, after grappling with  
9 QUALCOMM's arguments, is that unlike the above analysis of the  
10 apparatus claim, it's a different story. QUALCOMM didn't know  
11 that a person of the skill in the art would have performed the  
12 method as claimed.

13 Now, I want to point out something because there was a  
14 citation to Dr. Steer's deposition transcripts. He's not a  
15 lawyer, and he doesn't opine on whether collateral estoppel  
16 applies. The fact that he exhibited hesitancy when he was  
17 asked, Do you intend to offer this opinion or that, isn't  
18 indicative of collateral estoppel.

19 The core issue is whether there was an issue decided, and,  
20 in fact, a different issue was decided. It was just decided  
21 against QUALCOMM. They lost. And now what they're trying to do  
22 is have this Court summarily overrule the Federal Circuit's  
23 affirmance of the PTAB saying that these claims were valid.  
24 This is from the Federal Circuit. QUALCOMM failed to show under  
25 a lower burden of evidence that applies here, and this is where

1 I think the *B&B Hardware* case is also relevant, failed to show  
2 under an easier burden that the claims are invalid. And now  
3 what they're trying to do is have this Court, under a higher  
4 standard, overrule the Federal Circuit.

5 And, here, what we have underlined in slide 50, it says,  
6 plurality of harmonics limitation, and this is the one that  
7 QUALCOMM raises in its brief, and the Federal Circuit  
8 specifically looked at this limitation and found that for the  
9 method claims QUALCOMM failed. It didn't show that Nozawa  
10 showed the method claim. And these are the asserted claims.  
11 All of the asserted claims of the '940 patent, Your Honor, are  
12 methods, every single one. And in every single case, the  
13 Federal Circuit's statement, the method claims present a  
14 different story applies, and there can be no collateral estoppel  
15 because there's no common issue. And to the extent there is an  
16 issue about the method claims, QUALCOMM lost on it.

17 And the limitation at issue that QUALCOMM pointed you to is  
18 gating, a method step, whether the reference gates, not whether  
19 it's, quote, capable of gating. And, again, Dr. Steer is not a  
20 lawyer and he can't reasonably be in a position to opine on  
21 whether collateral estoppel applies.

22 So this is QUALCOMM's motion to strike, docket 491. This  
23 is slide 52. The example given by QUALCOMM is that, while the  
24 Nozawa combination was capable of, and then Dr. Steer intends to  
25 disagree with the Federal Circuit, but whether the reference is

1 capable of is not relevant to any claim in the case. It's about  
2 the method steps, and that is why under *B&B Hardware*, collateral  
3 estoppel should not apply to this question in *Nozawa*, which is  
4 the integer-multiple harmonics because, again, the Federal  
5 Circuit found against *QUALCOMM* on this specific question.

6 I want to point out that Mr. Gardner argued that case, and  
7 they disagreed with Mr. Gardner's arguments on the method steps,  
8 the same one that filed this brief.

9 This is from the Court's claims construction order. This,  
10 I believe, came up before. As for the method claims, the  
11 Federal Circuit agreed with the board's determinations that  
12 *QUALCOMM*'s petitions were deficient. Those are different  
13 issues, and *B&B Hardware* applies, particularly with the  
14 different legal standards.

15 And I'm going to go through the other two issues that  
16 *QUALCOMM* raises because they're doing the same thing. They're  
17 saying the Federal Circuit affirmed that the reference discloses  
18 a switch module, which is an apparatus structure. Dr. Steer  
19 tries to challenge the disclosure of outputting, I-N-G, that's a  
20 verb. That's a method step. What they're trying to do is take  
21 the apparatus arguments they won on, ignore the method arguments  
22 they lost on, and then improperly apply collateral estoppel to  
23 Dr. Steer's testimony about methods, and that is legally  
24 baseless, Your Honor.

25 And here's the claim at issue, and I'll point out that this

1 is claim 25. Claims 26, 368, and 369 depend on this claim. And  
2 so what that means is that we have to show infringement of these  
3 steps for all of those claims, and so this argument applies to  
4 all of the claims. And, again, outputting is a verb. It's a  
5 method step. As the Federal Circuit explained, different  
6 issues, different stories. Again, this is their last issue,  
7 same thing, same story, a pulse shaper, a pulse shaping module,  
8 apparatus limitations; shaping, a verb, a method. Not only  
9 did -- not only were the issues different at a lower standard,  
10 QUALCOMM lost on the issues that matter. Here is the claim 25,  
11 shaping is a method step.

12 And I don't think counsel for QUALCOMM reached this  
13 argument. I'm not sure how they're structuring their  
14 presentation. This is about Krauss, and I don't think that was  
15 mentioned, but I guess I'll go ahead and address this one too.  
16 The point here that QUALCOMM wants to make is that the PTAB  
17 found Krauss includes certain limitations in the '940 patent in  
18 claim 18, which is an apparatus claim. They actually didn't.  
19 It was about the disclosures of Krauss plus Ariie, which is a  
20 combination. And under *B&B Hardware*, different issues don't  
21 apply, particularly with the lower standard. And I'll point out  
22 that QUALCOMM's IPR petition didn't actually say Krauss  
23 disclosed limitations. They're saying it does now in their  
24 brief. They said Ariie discloses the information signal  
25 limitations. So the Federal Circuit in deciding for QUALCOMM in

1 that instance would have been deciding based on Ariie, not  
2 Krauss.

3 And then the final point is the motion, QUALCOMM's motion,  
4 seems to try to apply collateral estoppel on the '940 patent to  
5 the '372 patent as well, as it relates to the Krauss decision.  
6 It's really Krauss plus Ariie, but what the motion calls Krauss.  
7 And the problem with that is that it's improper to apply  
8 estoppel to the '372 patent because QUALCOMM filed a petition  
9 against the '372 patent, and that petition was denied.

10 And the claim limitations of the '372 patent are different  
11 than the claim limitations of the '940 patent, and what I have  
12 here on slide 67 is docket 499-8, which is our expert's validity  
13 report, and this is the report they seek to strike. And what I  
14 have highlighted is the claim limitation that Dr. Steer says is  
15 missing from the prior art. That's a very long claim  
16 limitation. And claim 18, what QUALCOMM is pointing to as,  
17 quote, information signals, which isn't this claim limitation,  
18 those are two different things. Again, this is a lengthy claim  
19 limitation that involves inverting steps.

20 And this is the IPR decision that QUALCOMM cites, and as I  
21 mentioned, it is against '940 patent, not against '372 patent.  
22 QUALCOMM lost on that petition too. It was denied outright.  
23 That is, institution is denied. Sorry, Your Honor.

24 And this here, I'm showing that the lengthy claim  
25 limitations of the '372 patent are clearly different than the

1 limitations in claim 18 of the '940 patent, and so under  
2 QUALCOMM cited cases, such as *MaxLinear*, estoppel wouldn't  
3 apply.

4 THE COURT: Help me to understand why this argument  
5 that you're making now, which is distinguishing what the PTAB --  
6 what was before the PTAB or what the Federal Circuit affirmed or  
7 didn't affirm compared to what's being argued now, why wasn't  
8 this briefed? And here's where my hesitancy is. In my cases  
9 that are not patent cases, when a party doesn't brief something,  
10 that's the end of it. It's not revisited. The Eleventh Circuit  
11 affirms it every time because the lawyers have to brief up  
12 front. We don't get do-overs. The Federal Circuit seems to  
13 operate at times differently, where issues that I would enforce  
14 my normal rules of governing a case, they'll say yeah, but --  
15 and send it back. So I'm a little more hesitant with the  
16 Federal Circuit since -- and I don't mean this disrespectfully.  
17 I'm just talking about the reality that they're not always  
18 inclined to view the way district courts run cases the way my  
19 circuit would. And so having learned that reality, I sometimes  
20 realize that what I'll see in the Fed Circuit opinion is, Well,  
21 you know, if they argued it, why didn't you consider it? And  
22 then they'll send the case back for a second or third trial.

23 And so trying to avoid that, why wasn't this briefed on the  
24 front end, rather than just simply talking about collateral  
25 estoppel doesn't apply, which was pretty much full-stop your

1 argument.

2 MR. VERBONCOEUR: Yes, Your Honor. I think our brief  
3 could have focused on that more, and what we did instead was  
4 cite the *B&B Hardware*, rather than these *MaxLinear*, *XY* cases.

5 THE COURT: Well, it's also the factual distinctions  
6 you're making here.

7 MR. VERBONCOEUR: Right.

8 THE COURT: That's the key.

9 MR. VERBONCOEUR: Right.

10 THE COURT: The law is not very complicated. And the  
11 issue is whether or not what was decided has collateral estoppel  
12 or preclusive effect, based on the factual nuances that you're  
13 flushing out now.

14 MR. VERBONCOEUR: Right.

15 THE COURT: And that's what should have been briefed  
16 on the front end.

17 MR. VERBONCOEUR: Right. You're correct, Your Honor,  
18 all of these factual distinctions were not put in our brief.  
19 Part of that is it takes a large number of citations to just go  
20 through all of the briefing to show, in fact, the difference,  
21 but, you're correct, they weren't cited.

22 THE COURT: Right. And we rarely grant -- when I say  
23 "we," I mean courts in general. We rarely grant oral argument  
24 on summary judgment or other motions. I mean, I almost never  
25 do. So it's a risky proposition not to brief it in full because

1 typically it's decided on paper.

2 MR. VERBONCOEUR: I understand, Your Honor. But in  
3 response, I will point out that I think there's no question of  
4 law here, that this Court can't summarily overruled the Federal  
5 Circuit's affirmance of the PTAB's finding that these patent  
6 claims are valid.

7 THE COURT: No. I understand that. Their argument is  
8 something different --

9 MR. VERBONCOEUR: I understand.

10 THE COURT: -- that your expert is offering opinions  
11 that had already been decided, and, therefore, he's estopped  
12 from changing. And you're arguing it's not that simple because  
13 the issues before the Court that were affirmed are not exactly  
14 the same ones here, different claims, different claim  
15 limitations, et cetera, et cetera.

16 MR. VERBONCOEUR: That's right.

17 THE COURT: And that seems to be the distinction.  
18 It's a factual one.

19 MR. VERBONCOEUR: Your Honor, I would submit that it's  
20 a legal distinction. Where there is an issue fully decided, it  
21 was decided against QUALCOMM. We paused this case for years --

22 THE COURT: Right.

23 MR. VERBONCOEUR: -- to go through with QUALCOMM's IPR  
24 challenges.

25 THE COURT: I think we're saying the same thing. It's

1 clearly a legal distinction, but it's driven by the facts.

2 MR. VERBONCOEUR: I understand. Yes.

3 THE COURT: Collateral estoppel, meaning that a PTAB  
4 decision that's affirmed is final, that's simple. What you're  
5 arguing is the factual distinction that what's being advanced by  
6 QUALCOMM was not decided. The key issues were decided against  
7 them on invalidity, and the issues went forward to affirm it on  
8 what was patentable.

9 MR. VERBONCOEUR: And I will also argue, because this  
10 was in the brief -- the *B&B Hardware* case -- that even assuming  
11 there was some relationship between whether an apparatus is  
12 capable of and the method steps the Federal Circuit treated  
13 differently, under *B&B Hardware*, those factual propositions need  
14 to be proven by the higher standard in district court. So you  
15 can't take factual propositions, according to *B&B Hardware*, that  
16 you may have proven by a preponderance of evidence and then  
17 treat then as conclusively established where you have a burden  
18 of clear and convincing evidence in a later case, which is an  
19 additional argument beyond the one we've been discussing.

20 THE COURT: Yeah. The Federal Circuit seems to have  
21 rejected that notion when they affirmatively recognized the  
22 difference in burdens in proof and didn't seem to be persuaded  
23 by that.

24 MR. VERBONCOEUR: Respectfully, Your Honor, I think  
25 that goes to claims that have identical claim limitations --

1                   THE COURT: Right.

2                   MR. VERBONCOEUR: -- which I've argued they don't.

3                   THE COURT: No, and I agree with you.

4                   MR. VERBONCOEUR: Yeah.

5                   THE COURT: That's why my point going back to the  
6 beginning was, flushing out the different claim limitations and  
7 the different issues, you know, that should be in the briefing  
8 because you can't assume that I'm going through a PTAB decision,  
9 scheduling out what they found, looking at what the Fed Circuit  
10 found and determining in comparison to. I mean, that's your  
11 job. That's not mine.

12                  MR. VERBONCOEUR: I understand, Your Honor.

13                  THE COURT: All right. Thank you very much.

14                  We'll turn to the ParkerVision aspect of this.

15 Mr. Gardner, if you want to submit supplemental briefing on the  
16 preclusive effect of the PTAB ruling in light of what you've  
17 heard, you're welcome to do so. I will tell you. Normally, as  
18 is true of every district judge in this district and certainly  
19 this division, we normally enforce the standard rules of  
20 briefing and argument and waiver with absolute finality, and,  
21 again, not meaning to cast an aspersion at the Federal Circuit,  
22 but I have learned that they take a more inclusive view of what  
23 we should have been looking at when issues are presented that  
24 could have been decided. It's just a reality. What you don't  
25 want to do is try this case and try it again --

1 MR. GARDNER: Your Honor, I think I --

2 THE COURT: -- which is actually likely to happen  
3 anyway.

4 MR. GARDNER: I think we can -- I mean, if you would  
5 like us to submit supplemental briefing --

6 THE COURT: I do.

7 MR. GARDNER: Well, I mean, I think that what our  
8 brief makes clear is, and I just want to point to this, the  
9 issue -- ParkerVision's counsel agrees. The issue that was in  
10 front of the Federal Circuit -- I mean, the Federal Circuit's  
11 language is clear -- it's whether or not the references were  
12 capable of doing something. They say, Well, Dr. Steer is just  
13 disputing the method claims. He's not. I mean, look at his  
14 testimony, You intend to take the position that Nozawa is not  
15 capable of. That's our argument. We're not arguing that  
16 Dr. Steer isn't allowed to dispute other issues. We're arguing  
17 he can't dispute the issues that were decided. And  
18 ParkerVision's counsel, as Your Honor has pointed out and I  
19 don't want to belabor this point, they didn't point to a single  
20 difference. The only argument they've presented today is  
21 Dr. Steer is allowed to talk about method limitations. That's  
22 fine. But what he can't dispute is that the references are  
23 capable of doing that. And then we can move on to the ultimate  
24 issue, right? So if I'm in front of the jury, I can say there's  
25 no dispute that this reference is capable of doing this.

1           ParkerVision's only argument is whether or not a person of  
2 skill in the art would actually use it to do what it's capable  
3 of doing. We can have that fight, right? But we should be able  
4 to narrow it down to the issues that are actually disputed. So  
5 our briefing was very clear that we were -- that they are trying  
6 to dispute whether these systems are capable of or have  
7 something that they necessarily must have in order to meet the  
8 system limitations.

9           So I will discuss with our client. And I think that it's  
10 time to move on to the next motion --

11           THE COURT: Sure.

12           MR. GARDNER: -- unless, of course, Your Honor has  
13 questions.

14           THE COURT: Nope. I'm fine. Thank you.

15           MR. GARDNER: So the next relates to a number of  
16 factual issues that were decided by the Federal Circuit in  
17 relationship to both the accused devices and certain prior art  
18 references in the ParkerVision I decision, and each of those  
19 factual issues was a necessary part of the Federal Circuit's  
20 decision and ParkerVision I, and, therefore, we can't be  
21 fighting about those factual issues today. ParkerVision is  
22 collaterally estopped.

23           So just as a little bit of background here, I think that in  
24 a lot of our briefing we used terms like new RX expert and  
25 previous RX expert. I just want to explain to the Court why

1 we're doing that. When ParkerVision withdrew Dr. Allen after  
2 his testimony, there was some concern, as we understood it, that  
3 publishing his name would reveal something about their positions  
4 as to his medical condition, and so we didn't use Dr. Allen's  
5 name. But the old RX expert was Dr. Allen and the new RX expert  
6 is Dr. Steer, just for clarification.

7 So as I said, there are three issues that were decided in  
8 ParkerVision I and we think collateral estoppel applies to, and  
9 we've identified the facts that we don't -- that we think need  
10 to be clarified on the record so that ParkerVision doesn't end  
11 up disputing them at trial.

12 THE COURT: Same patents and claims, continuation in  
13 part, where are we going?

14 MR. GARDNER: So this is, the claims in ParkerVision I  
15 were different, but the issues that we're dealing with here is  
16 not in relation to claims. It is the actual structure and  
17 operation of the accused device itself.

18 THE COURT: The capacitor issue, where things are  
19 happening relative to the capacitor.

20 MR. GARDNER: That's right. The issues that were  
21 necessary factual findings for the Court to rule in  
22 ParkerVision I.

23 THE COURT: Okay.

24 MR. GARDNER: We identified five facts that we'd like  
25 the Court to provide collateral estoppel effects to. And

1 ParkerVision's first argument to these, in fact their only  
2 argument, is that the structure and operation of the accused  
3 devices wasn't actually litigated in ParkerVision I, and that's  
4 a surprise to QUALCOMM because I'm pretty sure that during  
5 ParkerVision I we actually did litigate what the structure and  
6 operation of the accused devices was.

7 So I want to go through quickly these facts and show that  
8 they were actually litigated, and the Federal Circuit decided  
9 them.

10 THE COURT: Wasn't the JMOL driven by testimony  
11 concerning downstream versus upstream in the capacitors or am I  
12 wrong?

13 MR. GARDNER: Yep. So there are a number of factual  
14 issues that the court, both the district court and the Federal  
15 Circuit, relied on in order to make a determination. They said,  
16 Look, the products work this way, and that's what we're talking  
17 about here. We'll talk about the collateral estoppel issue.  
18 We'll talk about the collateral estoppel issue for the overall  
19 separately. For this particular motion, we just want to talk  
20 about subsidiary facts.

21 So for example, if we look at the -- if we're on slide 47,  
22 two facts that QUALCOMM thinks should be undisputed are: One,  
23 that the accused devices use double-balanced mixers; and, two,  
24 that these double-balanced mixers convert the high-frequency  
25 signals to a low-frequency baseband signal. We think that these

1       facts should be undisputed at this point.

2           In our briefing we talk about how Dr. Prucnal agreed with  
3       this. Dr. Allen agreed with this. Dr. Steer wants to dispute  
4       some of these fundamental facts, and we don't want to be going  
5       in front of a jury and having to rehash these issues that are  
6       just known by every expert, other than their new expert  
7       Dr. Steer.

8           So let's look at what the Federal Circuit said.

9       Dr. Prucnal, the expert in PV I, testified the accused devices  
10      or accused products use a specific type of circuitry called a  
11      double-balanced mixer. I mean, if you read the Federal  
12      Circuit's decision, double-balanced mixer is probably mentioned  
13      30 times.

14           THE COURT: Constantly. I have read it.

15           MR. GARDNER: Okay. Great. And it's undisputed that  
16      those double-balanced mixers -- the double-balanced mixer by  
17      itself is used to convert high-frequency carrier signals into  
18      low-frequency baseband signals. That's an undisputed fact. We  
19      think that we can't be litigating these issues over again.

20           Next, we have that the output of the double-balanced mixers  
21      is the baseband signal and that the double-balanced mixer itself  
22      creates the baseband signal. Again, if we go to the Federal  
23      Circuit's decision this issue is very clear. Dr. Steer wants to  
24      dispute it. We don't think that he should be permitted to.  
25      Dr. Prucnal's admission that the double-balanced mixer creates

1 the baseband signal, and then he says, precludes the finding of  
2 infringement, the Court relied on this fact to say that this  
3 precludes infringement. So the fact was definitely at issue.  
4 It's whether or not QUALCOMM's double-balanced mixers create the  
5 baseband signal was definitely at issue.

6 The Court specifically says, No evidence supports  
7 ParkerVision's theory, again factual finding. And instead,  
8 Dr. Prucnal affirmatively identified the output of the  
9 double-balanced mixer as the baseband. So, again, the Federal  
10 Circuit was clear that what we're talking about here is a  
11 double-balanced mixer and the double-balanced mixer creates the  
12 baseband signal.

13 The last fact that we think needs recognition is the  
14 double-balanced mixer eliminates the carrier signal. In the  
15 Federal Circuit appeals process QUALCOMM won the original  
16 Federal Circuit appeal, and ParkerVision then moved for  
17 reconsideration, and the Federal Circuit addressed  
18 ParkerVision's arguments on reconsideration. In finding against  
19 ParkerVision's arguments on reconsideration, the Court discussed  
20 a factual issue that was in dispute and said, Contrary to  
21 ParkerVision's assertion on appeal, there was a factual  
22 determination that Dr. Prucnal admitted that the carrier signal,  
23 i.e. the RF signal, has been eliminated at the mixer output.

24 Now, again, Your Honor, I know that you're rightfully very  
25 focused on how do these issues impact the case, and, again,

1       QUALCOMM would love to submit an additional set of briefing  
2       saying, you know, if these factual issues were resolved, then  
3       there's summary judgment for a number of other reasons. Because  
4       of the appropriate briefing limits, if you give lawyers too much  
5       room, as is proven today, then we will go on forever. But, you  
6       know, we didn't have room to be able to attack, like, how these  
7       issues related to the ultimate finding in the case, but we do  
8       think some of these are case dispositive on, for example, the  
9       '907 and the '940 patent, the RX claims in those patents.

10       So all we are asking is that ParkerVision not be able to --  
11      not be permitted to raise these new factual disputes at trial.

12       The next issue we have in this motion is regarding the  
13      DeMaw prior art, and, again, the Federal Circuit had addressed  
14      the structure and operation of the DeMaw prior art and made  
15      factual findings about that that were necessary for its  
16      invalidity findings.

17       And there are two issues that ParkerVision's expert wants  
18      to dispute. First, ParkerVision's expert wants to dispute  
19      whether or not the two transistors in DeMaw qualify as switches.  
20      The second thing ParkerVision's expert wants to dispute is that  
21      these switches control the charging and discharging of energy  
22      storage devices to generate the baseband signal.

23       And, again, these are, you know, factual issues that the  
24      Federal Circuit addressed in the ParkerVision I case. And so if  
25      we look at slide 54 as an example, the Court goes through

1 Dr. Razavi's analysis. And it says, According to Dr. Razavi,  
2 the transistors designated as Q1 and Q2 in DeMaw correspond to  
3 the first and second switches in figure 16H. So the Court  
4 focuses on this. And then it talks about those switches, Q1 and  
5 Q2, are turned off and on at a certain rate. And then finally  
6 concludes, Because there's no basis on which a reasonable jury  
7 could reject the evidence submitted by Dr. Razavi that DeMaw  
8 anticipates claim 18, QUALCOMM wins on invalidity. Right?

9 If we look over at claim 18, claim 18 requires first and  
10 second switching devices. So the Court made a necessary factual  
11 finding that those transistors, Q1 and Q2, are switches, and it  
12 relied on Dr. Razavi for that point. Now Dr. Steer wants to  
13 take a contrary view. He wants to say they're not switches.  
14 They are switches. And all we're asking for is that Dr. Steer  
15 not be able to try to relitigate what happened in  
16 ParkerVision I.

17 Now, the only argument that ParkerVision raised in the  
18 briefing, and, again, they may have different arguments today,  
19 they said only one claim limitation was in dispute on appeal.  
20 So, therefore, collateral estoppel doesn't apply. That's not  
21 how collateral estoppel works.

22 First, their argument that only one claim limitation was at  
23 issue on appeal is just a complete misrepresentation on the  
24 record. If we look at the Federal Circuit's decision, the  
25 Federal Circuit says, ParkerVision sought to challenge

1 Dr. Razavi's testimony in various respects, but none of the  
2 questioning undermined Dr. Razavi's explanation of the operation  
3 of the DeMaw circuit and how figure 16.7 of DeMaw corresponds to  
4 figure 16H of the '342 patent.

5 Now, that's important because right before that in the same  
6 decision the Court says -- so the Court says, ParkerVision had  
7 its opportunity to challenge Dr. Razavi at this point. Right?  
8 They had their chance. Dr. Razavi testified that the  
9 transistors designated as Q1 and Q2 correspond to first and  
10 second switches. ParkerVision had the opportunity to challenge  
11 that. And the Federal Circuit said, as a factual matter, they  
12 failed. Right? Dr. Razavi's testimony meets the requirement,  
13 and, in fact, you know, it meets the requirement for invalidity.

14 So I think that, first, ParkerVision's argument that there  
15 was only one issue on appeal is just factually undermined by  
16 what the Federal Circuit actually said in its appeal brief.

17 The second issue is that ParkerVision is just wrong on the  
18 law. So the law is not that if there's just one issue on appeal  
19 that collateral estoppel only applies to that one issue on  
20 appeal. For example, the Seventh Circuit has made clear that  
21 when a party introduces evidence on a dispositive fact -- so  
22 here the Federal Circuit has made clear that whether or not they  
23 were switches was a dispositive fact in the case. In fact,  
24 claim 18 requires first and second switches. So we know that  
25 switches were required in that case.

1       And we have -- and they say, An adverse party with an  
2 opportunity and a motive to contest the presentation but chooses  
3 not to, the ensuing finding is entitled to the same respect as  
4 one litigated to the hilt. So if they wanted to -- if they  
5 wanted to dispute this, not only -- not only did they dispute  
6 this, right, but if they say, Oh, no, we didn't dispute it, it  
7 doesn't matter. So either way. If they're going to say, Oh, we  
8 didn't actually dispute there were switches in that case and now  
9 we want to, the federal -- or I'm sorry, the circuit courts have  
10 said no, but, you know, we think they did try to dispute this.  
11 They did try to challenge Dr. Razavi on these points and they  
12 failed.

13       The last issue I think and I hope is a little bit easier.  
14 It's as to the Weisskopf prior art. So on the Weisskopf prior  
15 art, the Federal Circuit found that Weisskopf -- oh, I'm sorry.  
16 Slide 59. The Federal Circuit necessarily found that Weisskopf  
17 teaches a storage module that receives nonnegligible amounts of  
18 energy transferred from a carrier signal at an aliasing rate.  
19 It appears from the briefing that ParkerVision doesn't dispute  
20 this, that they agree that Weisskopf transferred nonnegligible  
21 amounts of energy from the carrier signal to a storage device.  
22 So that was the purpose of our motion, and it seems like the  
23 parties agree, and so that would hopefully make things easier.

24       The problem is, in their response to this briefing -- and  
25 I'm now on slide 60 -- ParkerVision now just sort of tries to

1 twist this argument and say, Well, that's not what the issue is  
2 in this case. The issue in this case is whether it transfers  
3 nonnegligible energy to a load, instead of to an energy storage  
4 device. The problem is that this all comes up in the context of  
5 the '940 patent, and the '940 patent doesn't actually recite a  
6 load. Like, it's not in the claim. And nobody from  
7 ParkerVision has ever presented a claim construction argument.  
8 Their expert hasn't presented some kind of argument that this,  
9 like, load that doesn't exist in the claim is somehow required.

10 So what we're nervous about is now we have the undisputed  
11 fact, but ParkerVision is now raising this new claim  
12 construction theory, and so we're raising it now in front of  
13 Your Honor. We can address it as well on a later date, but the  
14 fact is this is some new claim construction argument that  
15 they're trying to get in now that they understand that the  
16 factual issue goes against them, and they're trying to sort of  
17 insert this into the case, and we obviously think that that's  
18 improper.

19 So I think that was everything for part two of the motion  
20 related to ParkerVision I. Unless you have any questions, I'll  
21 hand it over.

22 THE COURT: I don't. This is the last issue for this  
23 particular motion, correct?

24 MR. GARDNER: Correct.

25 THE COURT: Why don't we break for lunch and come

1 back, take the second half, and then we'll move to the next  
2 motion after that. How much time would you all like? I know  
3 there's nothing convenient here. I don't know if an hour is  
4 enough time. Is that enough for you all?

5 MR. VERBONCOEUR: That's probably enough for us to  
6 respond to this. And, Your Honor, I want to tell you I  
7 misstated something earlier.

8 THE COURT: How much time would you all like for lunch  
9 is what I was asking.

10 MR. VERBONCOEUR: Oh, an hour. I'm sorry.

11 THE COURT: Yeah. Obviously, we won't start until  
12 you're back because it is inconvenient to find a place around  
13 here. They keep talking about building some restaurants nearby,  
14 but they've been saying that for seven years. So we'll see.

15 MR. GARDNER: An hour seems reasonable to us, Your  
16 Honor.

17 THE COURT: All right. Then we'll come back at  
18 roughly 1:20.

19 And then we'll pick up with your response, and there's  
20 something you want to clarify on the record?

21 MR. VERBONCOEUR: Yes. I'm sorry.

22 THE COURT: That's okay.

23 MR. VERBONCOEUR: You had asked me where the argument  
24 about the different claims and the different issues with the  
25 PTAB and the Federal Circuit was on our brief. That is on

1 page 8. I told you it wasn't there. And we said there are  
2 different claims and we won on these claims, and then we also  
3 concurrently filed a motion for summary judgment on IPR estoppel  
4 before this --

5 THE COURT: Yes. I did see that.

6 MR. VERBONCOEUR: Yes.

7 THE COURT: Yep. Thank you.

8 All right. So we'll be back at about 1:20. Thank you all  
9 very much.

10 (Recess at 12:16 p.m., until 1:22 p.m.)

11 THE COURT: The record should reflect the parties are  
12 present. All right. We're ready for the response. Whenever  
13 you're ready, sir.

14 MR. VERBONCOEUR: The first case involved different  
15 patents with different claims, but most importantly, it involved  
16 specific accused products, and ParkerVision's problem with the  
17 way the basic principles are phrased is that we're worried it  
18 would be extended to all devices that QUALCOMM terms  
19 double-balanced mixers. If accused devices were substituted,  
20 and to the extent that this summarized version on the slide  
21 correlates to the Federal Circuit findings, then I don't think  
22 this would be an issue. I think our concern is the Federal  
23 Circuit made certain findings. They used the expert's  
24 testimony, and they used double-balanced mixer interchangeably  
25 with the accused products, but we don't see that as holding that

1 all products ever that might be called double-balanced mixers  
2 have these qualities.

3 THE COURT: Wouldn't it just be the four accused  
4 products that are at issue here?

5 MR. VERBONCOEUR: Yes. It would be the accused  
6 products that were at issue in the first case, Your Honor.

7 THE COURT: And are they different than the ones here,  
8 Magellan and company?

9 MR. VERBONCOEUR: For these purposes, QUALCOMM would  
10 contend no. We would agree that per the -- and you'll hear  
11 about this, I suspect, on the motion for summary judgment  
12 briefing, the one that QUALCOMM filed. We don't see any of  
13 these basic principles as a problem for our patents, and we  
14 think our patents are perfectly consistent with these basic  
15 principles. But the first QUALCOMM case was on a more limited  
16 set of products, and there are certain infringement issues that  
17 don't relate to these basic principles that are also at play  
18 here for only a subset of products.

19 THE COURT: But just to understand, the four or five  
20 rather factual findings by the Federal Circuit from  
21 ParkerVision I that you have up here on slide 107, which were  
22 just discussed by your colleague before we broke for lunch, you  
23 agree that those factual findings would apply to this case as to  
24 the four accused products, Magellan and the three others that  
25 are the same, Magellan being the exemplar?

1                   MR. VERBONCOEUR: That is correct, Your Honor, to  
2 those specific circuits. Our concern was more that the  
3 undefined term double-balanced mixer has been substituted into  
4 all of these propositions, and when our expert, Dr. Steer,  
5 disagreed that the accused products were double-balanced mixers,  
6 it's not clear that he's using the same definition that QUALCOMM  
7 has, and so we just want to make sure that the findings are  
8 specific to the accused products, not any double-balanced mixer  
9 conceivably.

10                  I think an analogy could be a Federal Circuit decision that  
11 refers to a set of vehicles as the convertibles. Those findings  
12 are limited to those particular machines or cars that are at  
13 issue in that case. We can't say the accused products were  
14 convertibles, all convertibles have the following qualities,  
15 because the Federal Circuit wouldn't have made those findings as  
16 to any possible quote/unquote convertible.

17                  THE COURT: I may be anticipating things that are not  
18 really before me. But I assumed from this back and forth that  
19 the argument by QUALCOMM going forward would be something like,  
20 The patents at issue in ParkerVision I had these terms, you  
21 know, the double-balanced mixer that converts a high-frequency  
22 carrier signal to a low-frequency baseband signal and the output  
23 is at the baseband signal, et cetera, you know, these five  
24 items. And I suspect what they're going to be arguing is that  
25 that same invention is at issue here, meaning the patent

1 describes the same thing, and that the accused devices don't  
2 meet any of these definitions.

3 I think what I appreciated was that what QUALCOMM wants is  
4 there to be no change in what the meaning of these words are.  
5 There may be other accused products in the future that you could  
6 distinguish because of some unique feature in it. But that's  
7 what I interpreted the heart of their argument is, that there's  
8 this language that's been defined by the Federal Circuit, and  
9 you can't now say that the patent doesn't convert a  
10 high-frequency carrier into the low-frequency baseband signal,  
11 for example.

12 MR. VERBONCOEUR: Those findings, Your Honor, were  
13 made about the accused devices --

14 THE COURT: Right.

15 MR. VERBONCOEUR: -- in relation to the earlier  
16 patents. As you'll hear, either today or tomorrow, on docket  
17 494, QUALCOMM's motion for summary judgment, they argue that the  
18 claims are the same. We disagree.

19 THE COURT: Right.

20 MR. VERBONCOEUR: And I think you're exactly right on  
21 their argument, which is, Well, if these principles are  
22 established and if the claims are the same, then we have summary  
23 judgment.

24 Our position is, if you substitute accused devices for  
25 double-balanced mixers in these products, that's fine. That's

1 perfectly consistent with the way the inventions are described  
2 in the asserted claims in this case.

3 THE COURT: I think I'm understanding this point, but  
4 I'm fearful that I might be missing --

5 MR. VERBONCOEUR: Our point --

6 THE COURT: -- the gist of where you're going.

7 MR. VERBONCOEUR: I'm sorry to talk over you, Your  
8 Honor.

9 THE COURT: No, that's fine.

10 MR. VERBONCOEUR: Our point is that the findings were  
11 specific to the accused devices.

12 THE COURT: Right. And how are they different? And  
13 maybe this is something you don't want to or can't speak to  
14 right now. I think I've already said that there's going to be a  
15 matching of oranges for oranges and not oranges for apples. So  
16 QUALCOMM will say the accused product in ParkerVision I is  
17 essentially the same as here and that the claims in the patents  
18 at issue in ParkerVision I are the same as here, and, therefore,  
19 the language is the same, so the result is the same,  
20 noninfringing. That's where they're going to want to go.

21 And you want to be able to argue that this language is what  
22 it is, you know, that's what the Federal Circuit found, but that  
23 the claims are something different or the accused products  
24 operate somewhat differently.

25 MR. VERBONCOEUR: Our position, which you'll hear as

1 part of our response to their motion for summary judgment, is  
2 that the claims are substantively different in this case. Those  
3 findings stand. Those findings are perfectly consistent with  
4 the way the inventions are described in the claims at issue in  
5 this case.

6 THE COURT: Right.

7 MR. VERBONCOEUR: Our concern was actually maybe a bit  
8 broader than that, which is, if these findings apply to all --  
9 or sorry -- all double-balanced mixers ever, we don't know the  
10 universe of that. I mean, that could be, you know, devices  
11 going back 30, 40 years that QUALCOMM decides to call  
12 double-balanced mixers and then later argues that our expert  
13 can't disagree with them on certain factual propositions.

14 THE COURT: You mean some future case.

15 MR. VERBONCOEUR: No, even in this case, Your Honor,  
16 because there's a dispute as to the way the prior art operates,  
17 and there's a dispute as to whether they're the same type of  
18 device as the accused products. QUALCOMM contends these are  
19 double-balanced mixers without providing a definition.

20 THE COURT: I see.

21 MR. VERBONCOEUR: Our concern is that they're going to  
22 conflate all of those into one bucket and use these aforesaid  
23 principles and findings from the Federal Circuit.

24 THE COURT: I see what you mean. Okay.

25 MR. VERBONCOEUR: So that was the basic principles

1 argument. There were two more arguments. One was on the DeMaw  
2 reference, and the other was the Weisskopf reference. I will  
3 start with the DeMaw reference.

4 The reason that Dr. Steer was describing the transistors in  
5 DeMaw is there is a contested issue of fact about whether the  
6 DeMaw reference discloses the sampling limitations in the  
7 patents. The asserted claims of the '907, for instance, require  
8 periodically coupling a signal, and that requires on and off  
9 states sort of like we discussed early the Tau over T, unplug,  
10 plug sort of thing.

11 The Federal Circuit's findings on DeMaw's capacitors  
12 related to charge and discharge of energy in the capacitors, not  
13 whether there were coupled and not coupled states. And so what  
14 I have here on slide 82 is from the Federal Circuit's opinion in  
15 2015, and the Federal Circuit said in a note, The sampling  
16 limitation is not found in claim 18 of the '342 patent. In  
17 other words, it wasn't at issue whether or not the transistors  
18 in DeMaw satisfied sampling.

19 And here is the testimony from Dr. Razavi on slide 83 that  
20 QUALCOMM cited, and Dr. Razavi uses the terms switches, but the  
21 Federal Circuit's findings centered on capacitors charging and  
22 discharging. Whether there's sampling occurring, as the Federal  
23 Circuit noted, was not a limitation in the patent and wasn't at  
24 issue. It just was entirely missing from the patent.

25 And this is another citation from the Federal Circuit case

1 talking about charging and discharging, and, again, that occurs  
2 with the capacitor, rather than the transistors, which QUALCOMM  
3 argues are switches that sample. We disagree.

4 And I'm sure you're familiar with the law, Your Honor. Our  
5 point here is that whether there were quote/unquote switches  
6 that sampled, it was definitely not a critical and necessary  
7 part of the action because the limitation didn't even exist in  
8 the patent that was at issue.

9 And here is the asserted '907 patent, and this is claim  
10 one, and claim ten depends on claim one. So all of the asserted  
11 claims of the '907 patent have this limitation, and that is  
12 periodically coupling, and there's description of what happens  
13 during and between the couplings, but for our purposes it's  
14 important that there are actually periodic couplings. And just  
15 to seal the deal, whereas the Federal Circuit said there was no  
16 sampling limitation in the patent at all, Dr. Razavi describes  
17 the limitations at issue in this case as energy sampling  
18 limitations. So in the first case, sampling missing. In this  
19 case, sampling is at issue. So there are different issues. And  
20 the issue in this case was not a crucial and necessary part of  
21 the earlier action.

22 And I would like to explain Dr. Steer's testimony that was  
23 referenced in QUALCOMM's brief, and this is on slide 88. The  
24 question was, So you disagree with the Federal Circuit that  
25 FETs -- it's a type of transistor -- Q1 and Q2 are switching

1 devices? And his testimony was specific to the sampling  
2 limitations that weren't at issue then, and what he says is that  
3 Q1 and Q2 must be operated in the active region and not to  
4 operate them as switches. What he means by that is, transistors  
5 can be operated as active, and in effect it operates as a  
6 multiplier rather than as a sampler. So you don't have the  
7 periodic couplings that are required by the claim limitation.

8 And this here, on slide 89, is further statement from  
9 Dr. Steer explaining how in the prior art DeMaw there are  
10 multiplying circuits, which are distinct from the sampling  
11 limitations of the '907 patent. And because there were no  
12 sampling limitations, as I said several times, in the first  
13 case, it could not have been an issue that was crucial and  
14 necessary to the judgment.

15 What I have here is the figure of DeMaw. So this is the  
16 reference at issue that was discussed in the 2015 ParkerVision  
17 case that was cited by the Federal Circuit, and in blue we have  
18 the capacitor they were discussing. It's highlighted, figure  
19 6.7. And it's that capacitor that had these charge and  
20 discharge cycles, and then in red is the issue about the  
21 sampling limitation that's at issue in this case that wasn't  
22 present in the earlier case. So there are two different parts  
23 of the circuit.

24 And consistent with the annotations I added to the Federal  
25 Circuit's depiction of DeMaw -- or rather the figure the Federal

1 Circuit looked at -- QUALCOMM's expert, Dr. Razavi, on slide 93  
2 uses the same distinction. The samples you can see in the  
3 purple box there come from what Dr. Razavi calls switch, whereas  
4 the question of charge and discharge of capacitors is shown -- I  
5 think it's -- a dark blue where it says, "storage device." So  
6 even QUALCOMM's expert, Dr. Razavi, agrees that these  
7 limitations involve different places in the circuit, different  
8 components, and different issues.

9 And I suspect QUALCOMM might point out, Well, Dr. Razavi  
10 said there were switches in the first case, but just saying  
11 there were switches doesn't show whether the periodically  
12 coupling limitations of the '907 patent, which wasn't at  
13 issue -- it doesn't mean there's collateral estoppel,  
14 particularly when there was, of course, no sampling limitation  
15 at issue in the first case.

16 And this is the citation I showed earlier.

17 That was the DeMaw argument. Unless you have questions,  
18 I'll move on to the Weisskopf argument.

19 THE COURT: No. I'm fine. Thank you.

20 MR. VERBONCOEUR: The Weisskopf argument is similar to  
21 the DeMaw argument in that the issues are not the same, and  
22 thus, because there are different claim limitations here, claim  
23 limitations that were not addressed could not have been  
24 necessary and crucial parts of the earlier judgment.

25 QUALCOMM's motion to strike points to Weisskopf for a

1 storage module that receives amounts of energy. And this is  
2 consistent with the claim that was at issue, which was  
3 representative of the claims for Weisskopf purposes that  
4 required a storage module receiving energy. And the testimony  
5 to which QUALCOMM points in the Federal Circuit's findings rely  
6 on energy to the capacitor. And QUALCOMM's position on this --  
7 let me back up.

8 This is ParkerVision's rebuttal report, and the argument  
9 actually being addressed is not just Weisskopf, like was at  
10 issue in the Federal Circuit. It's Nozawa plus Phillips plus  
11 Weisskopf. And the paragraph of the report that QUALCOMM seeks  
12 to strike refers to the analysis done of Nozawa plus Phillips  
13 and Weisskopf. And so when the report says Nozawa combined with  
14 Phillips, Weisskopf does not efficiently energy transfer, we  
15 have to go back to those earlier paragraphs to interpret what  
16 the report is saying and whether it is, as QUALCOMM contends,  
17 contradicting the Federal Circuit, or as ParkerVision contends,  
18 talking about an entirely different claim limitation.

19 So this is a couple of paragraphs up in the Nozawa and  
20 Phillips section, which, again, are combined with Weisskopf. In  
21 order for the UFT -- and so the '940 patent, claims 24 and 331,  
22 have a UFT module limitation. In order for the UFT to present a  
23 low impedance to the input signal, the load resistor must be  
24 small. And what the expert is talking about here is, if you  
25 recall the Tau over T equation, it was resistance times

1 capacitance. Resistance affects the behavior of a switch  
2 because it can transfer more energy or less, depending on that  
3 impedance.

4 And it's the energy transfer -- sorry to -- energy transfer  
5 from the UFT module that's at issue here, not the energy  
6 received by the capacitor. Those are two distinct propositions.

7 And so this is the claim limitation at issue in the '940  
8 patent on slide 100, and I'll note that claim 331 of the '940  
9 patent depends on claim one, and so these are both of the  
10 receiver claims from the '940 patent, all of the receiver claims  
11 from the '940 patent.

12 There's a UFT module that needs to transfer energy, and as  
13 slide 101 shows and as a person of ordinary skill of the art  
14 would know reading this, a UFT module has to be a lower input  
15 impedance device. As the claims say, there are nonnegligible  
16 apertures and due to those apertures, the UFT module transfers  
17 energy at the aliasing rates set by the apertures. And, again,  
18 this is about energy transfer, rather than energy receipt by the  
19 capacitor.

20 So this, on slide 102, is a figure from the rebuttal report  
21 that ParkerVision filed in this case, and the arrows show where  
22 the UFT module is and the down converted output signal, which is  
23 labeled 6412, and if we go back to this description in the  
24 patent, at slide 101, it's talking about energy transfer from  
25 the input signal to 6412, and that occurs by opening and closing

1 of the UFT module labeled 6408. So that's what's being  
2 contested about Nozawa plus Phillips plus Weisskopf, not  
3 whether, as the Federal Circuit discussed, there's receipts of  
4 energy by a capacitor.

5 This is from QUALCOMM's expert Dr. Razavi's opening report.  
6 Dr. Razavi also distinguishes the separate parts of the  
7 components. So just like DeMaw, when there are two separate  
8 parts to the components and their behavior at issue, we have two  
9 separate parts of a circuit and their behavior at issue. One is  
10 this UFT module, whether it's a low input impedance device, how  
11 it operates, and the other is the storage device, and our  
12 position is that the Federal Circuit's findings on Weisskopf  
13 focused on the behavior of that storage device.

14 And this is a paragraph cited just before -- or maybe it's  
15 two paragraphs before the one that QUALCOMM seeks to strike.

16 This is discussing whether a person of skill in the art  
17 would have been motivated to change the resistance seen by the  
18 UFT module to change the behavior. And this is because if you  
19 change the resistance, then the amount of energy transferred  
20 changes from the UFT module.

21 And then, again, paragraph 232 on slide 105, which is what  
22 QUALCOMM seeks to strike, refers back to the analysis above. So  
23 it's clear from the report it's talking about the UFT module.  
24 It's not talking about receipt of energy from the capacitor.  
25 And so there are different issues.

1           These are all my comments on Weisskopf. Thank you.

2           THE COURT: Are we moving on to a new topic, or are  
3           you responding?

4           MR. GARDNER: I'm responding. So I think, first, we  
5           started off with there's no dispute about the issues as to the  
6           accused products, and I guess I just want to have clarification.  
7           I think that we're all under the same page. That applies to all  
8           the products that are accused in this case. Is there any -- was  
9           I unclear about that?

10           MR. VERBONCOEUR: Findings for collateral estoppel  
11           purposes apply to the products at issues in that case. Our  
12           experts have used representative products in their testimony.

13           MR. GARDNER: Those are the same as this case, there's  
14           no dispute about that?

15           MR. VERBONCOEUR: Well, QUALCOMM, as you know, has  
16           unique noninfringement arguments for a subset of the products.  
17           If the parties can agree that one product is representative of  
18           all -- we can't agree to that.

19           MR. GARDNER: All right. Well, we'll address that  
20           issue later. I thought we were closer to being able to resolve  
21           something, but unfortunately, Your Honor, I think we'll have to  
22           see if we can clarify it later.

23           So on the accused products, I mean, you know, we'll go  
24           through this argument later. Magellan was the accused product  
25           in ParkerVision I. Magellan is the representative product

1 today. We don't know how, you know, ParkerVision's theories can  
2 be different or the products could operate differently, but I  
3 guess we'll get to that.

4 On DeMaw, there was a lot of argument spent about sampling.  
5 Sampling isn't the issue. The issue here is, whether or not  
6 DeMaw teaches switches, and on this issue the Federal Circuit's  
7 decision is clear. So if we go to slide 56. I apologize that  
8 our numbers are gray. If we go to slide 56, the Federal Circuit  
9 said, The transistors designated as Q1 and Q2 in DeMaw  
10 correspond to first and second switches." Now, in slide 88 of  
11 PV's presentation, they say that -- we have exactly what  
12 Dr. Steer said. Dr. Steer said, on slide 88 of ParkerVision's  
13 slides, Oxner specifically teaches that Q1 and Q2 must be  
14 operated in the active region and that not to operate them as  
15 switches.

16 This is a factual issue. It was resolved in the first  
17 case. We're not here to resolve some different factual issue.  
18 Now, we both agree that this factual issue will end up  
19 determining the sampling issue, but, you know, we're just  
20 here -- we didn't move for the larger issue. All we moved for  
21 was that they can't dispute that they operate as switches, and  
22 what they are disputing is that the resistors -- sorry -- the  
23 transistors, Q1 and Q2, whether or not they operate as switches.  
24 The Federal Circuit held that they do. They say they don't.

25 Now, I also want to say, was that necessary for the Federal

1 Circuit's decision? Absolutely. If we look at slide 54 on the  
2 right-hand side, claim 18 is the claim that was invalidated. In  
3 order to prove that claim 18 was invalid, if we go to limitation  
4 four -- sorry, limitation five -- oh no. I was right the first  
5 time. Limitation four, it says, With first and second switching  
6 devices. So it was a necessary part of what the Federal Circuit  
7 was deciding. It had to decide do I have switching device, do I  
8 have switches. So the Federal Circuit said, I find that these  
9 are switches, consistent with Dr. Razavi's testimony. That's  
10 the factual issue we want resolved and we want collateral  
11 estoppel on. And there's no ambiguity on the record. And  
12 there's no ambiguity about the fact that Dr. Steer is now  
13 disputing that clean factual issue.

14 The second thing is, they talk a lot about Weisskopf, and  
15 as we anticipated, what we just heard today is a brand-new claim  
16 construction argument. So if we go to ParkerVision's slide  
17 100 -- and I apologize for switching back and forth between  
18 decks. In ParkerVision slide 100, we have the claim language of  
19 claim 24 of the '940 patent. And their argument is that  
20 transferring energy from the electromagnetic signal at said  
21 aliasing rate -- their new argument that's been presented to the  
22 Court is that requires transferring energy to a load.

23 Now, this claim is not a method claim. This is an  
24 apparatus claim. There is not a load recited in this claim.  
25 What their claim does require is it requires in number three a

1 storage device storing energy from the UFT module.

2 Now, ParkerVision just did this whole nice claim  
3 construction argument that what this claim requires is  
4 transferring energy to a load. That is a new claim construction  
5 argument, and, I mean, quite frankly we think that that's  
6 waived. But if the Court would like the parties to address that  
7 claim construction argument, we would be more than happy to,  
8 because what the claim explicitly requires is that you have to  
9 transfer energy and then you have to have a storage device that  
10 stores energy from the UFT module. So it doesn't say anything  
11 about a load. They want to read in this new load that doesn't  
12 appear anywhere in the claims.

13 So unless the Court has any questions related to that, I'll  
14 move on to motion No. 3.

15 MR. VERBONCOEUR: Your Honor, sorry to interject.

16 Would the Court value response from our side on these issues?

17 THE COURT: In terms of the claim construction, the  
18 new claim construction argument.

19 MR. VERBONCOEUR: I can speak to that.

20 THE COURT: Why don't we take that up first.

21 Obviously, we did have a claim construction hearing in this  
22 matter. And then we'll come back to you, Mr. Gardner.

23 So if the Federal Circuit said DeMaw identifies transistors  
24 Q1 and Q2 operating as switches, therefore, it's a switch, why  
25 should I deviate from that, and why isn't your colleague right

1 that it's a new claim construction to speak about transferring  
2 energy to a load, which we've never talked about before?

3 MR. VERBONCOEUR: I'll address each in turn.

4 If you'll turn to slide 85, please -- actually, slide 86.

5 The claim limitation at issue in the Federal Circuit case  
6 in 2015 required switching devices coupled to capacitors. And  
7 the testimony that QUALCOMM's counsel just showed you said,  
8 according to Dr. Razavi, said something about what Dr. Razavi  
9 called switches and then made findings about the capacitors.  
10 These claims of the asserted patents in this case don't have  
11 those same limitations. They require periodically coupling,  
12 which is a different concept. And Dr. Steer's testimony about  
13 switches goes to this issue. The problem is that the parties  
14 seem to have competing -- I'm sorry. Let me back up.

15 The first issue is the Federal Circuit never made a finding  
16 about whether there were devices in DeMaw that practiced  
17 sampling. Our expert has classified the transistors in DeMaw as  
18 not switches because they don't periodically couple.

19 THE COURT: But can he do that? That's the heart of  
20 the matter. If the Federal Circuit already said Q1 and Q2 are  
21 switches, then how does your expert get to say, Nope, they're  
22 wrong. They're not switches. They're something else. You may  
23 argue that that's not dispositive because there's periodic  
24 coupling at issue here that wasn't before --

25 MR. VERBONCOEUR: Right.

1                   THE COURT: -- therefore you don't, you know, get a  
2 summary judgment on the claim, but how does an expert get to say  
3 the Federal Circuit misinterpreted prior art?

4                   MR. VERBONCOEUR: We would agree that the expert  
5 doesn't get to say they're not switching devices. I think it's  
6 fair, though, their expert gets to say that the transistors in  
7 DeMaw do not periodically couple. I think there's a bit of  
8 hesitancy here because our expert said something like, switches  
9 are the sorts of things that periodically couple. This  
10 reference doesn't have it. What we would like is to preserve  
11 our ability to show that the claim limitations that weren't at  
12 issue in the first case are not at issue -- or sorry -- are not  
13 present in the references here.

14                  THE COURT: I think what Mr. Gardner is asking for,  
15 and I may be wrong about this, is to not allow an expert to  
16 deviate from the Federal Circuit's determination that this  
17 particular piece of prior art speaks to switches. I hope I'm  
18 saying that correctly. But I think that's where they're going  
19 is that DeMaw Q1 and Q2, the Federal Circuit in reviewing it  
20 said, you know, these are switches, and then they went on with  
21 their analysis.

22                  MR. VERBONCOEUR: Right.

23                  THE COURT: Whether that's outcome determinative, who  
24 knows at this point. At least, you know, it's not before me to  
25 make that decision.

1       So I don't know if I'm missing the point or if the  
2 arguments are passing ships in the night, but the argument by  
3 QUALCOMM seems to be more narrow, that the Federal Circuit said  
4 this reference, DeMaw speaks to switches. We can't now say, no,  
5 that is not true.

6            MR. VERBONCOEUR: I think our position is that our  
7 expert should be able to explain why the claim limitation is not  
8 present in the first case.

9            THE COURT: Meaning a switch is not present here?

10          MR. VERBONCOEUR: Meaning that the -- well, this is a  
11 method, and it doesn't require --

12          THE COURT: Right.

13          MR. VERBONCOEUR: -- apparatus components, but our  
14 expert should be able to testify that the transistors in DeMaw  
15 don't practice the periodically coupling limitations. Whether  
16 we call it a switch or not is almost a matter aside because you  
17 could call it a switch, as QUALCOMM does, and it might not show  
18 the limitations, or you might not call it a switch, as we do,  
19 and then you might still take the opposite position.

20          THE COURT: QUALCOMM is saying, though, that Steer has  
21 to call it a switch because the Federal Circuit already found  
22 that. He may say it's a switch that doesn't practice periodic  
23 coupling -- if I'm getting it right -- or some other version of  
24 facts, but I think they want to make sure there's not a change  
25 in the way the prior art reference is described in terms of at

1       least what the Federal Circuit already opined on.

2                    MR. VERBONCOEUR: I think, Your Honor, that assumes  
3        that the name of the term was a necessary and crucial part of  
4        the judgment, and if we make that assumption, I think it would  
5        be limited to switching devices coupled to capacitors, rather  
6        than a switch, point blank, particularly given Dr. Steer's  
7        testimony about the different ways the transistors could be  
8        operated.

9                    If you have no further questions on that, I would like to  
10      address your question on Weisskopf. And what I would like to  
11      point out is, first, when QUALCOMM felt that our invalidity  
12      contentions -- sorry. When QUALCOMM felt that our infringement  
13      contentions were lacking, they were very quick to challenge  
14      those. They've had notice of our theories in this case for a  
15      long time, and this is past two claim construction hearings.

16                  I think, given the now disputed meaning of UFT module, I  
17      think the Court has two options. One is to allow the experts to  
18      apply their understanding of a person of skill in the arts'  
19      reading of the specification and claims and apply that  
20      perspective to the facts at issue in this case, so a plain and  
21      ordinary meaning approach.

22                  The other approach would be under the Federal Circuit's  
23      precedent in *O2 Micro*, where we would have to resolve this claim  
24      construction dispute before the matter reaches trial.

25                  We think that the first approach is appropriate, given the

1 long notice that QUALCOMM has had of this, their failure to  
2 point out any deficiencies in our contentions, and the fact that  
3 the parties have already gone through two claim construction  
4 hearings, one before and one after a stay for QUALCOMM's IPRs.

5 THE COURT: Is it your position that in the  
6 infringement contentions you put the defense on notice that you  
7 would be speaking about transferring energy from an  
8 electromagnetic signal that requires transferring energy to a  
9 load? Did that come up somehow?

10 Because what I'm concerned about is, I understand that  
11 claim construction happens when parties have disputed  
12 interpretations of claims, obviously. Sometimes those disputes  
13 don't occur until later, as the case kind of materializes.  
14 Should happen sooner, but, you know, these things can happen.

15 I'm just kind of -- I don't know. I'm thinking out loud  
16 here about what was the proper timing and why. If there was  
17 notice that you were speaking about transferring energy to a  
18 load, then there was notice. If this is something new that's  
19 being discussed, you know, why wasn't it earlier, you know, that  
20 sort of thing?

21 MR. VERBONCOEUR: I just wanted to have the claim up  
22 here because we spoke about this.

23 THE COURT: Yep.

24 MR. VERBONCOEUR: I think our response to this is  
25 twofold. One, we disclosed that it was specifically the low

1       input impedance switch in the QUALCOMM accused products that we  
2       were accusing -- that we were accusing, and we put that into our  
3       infringement intentions. As part of that, we cited schematics  
4       and design review documents and all of these other things that,  
5       you know, we're relying on now. The other part of it is that  
6       the UFT module requires transferring energy, and the transfer of  
7       energy, as we discussed, is affected by the load resistance. If  
8       we go back to the light bulb example, if you didn't have a light  
9       bulb which acts as a resistor in that case, you wouldn't  
10      transfer energy.

11           So again, I think ParkerVision's position is that notice  
12      has been there, and notice has been there for quite some time.  
13      In any event, though, if the Court is inclined to treat this as  
14      a disputed meaning of a claim term, I think the Federal  
15      Circuit's precedent in *O2 Micro*, which would require a  
16      construction of the term, which could be plain and ordinary  
17      meaning, but that would be controlling, I think.

18           THE COURT: Thank you. All right. I think we're  
19      moving on to the next motion.

20           MR. GARDNER: Yes.

21           THE COURT: And this is your summary judgment at  
22      docket entry 494?

23           MR. GARDNER: It's actually part three of the motion.

24           THE COURT: I thought we got through that.

25           MR. GARDNER: The good news is we think this motion

1 should resolve the case. So motion number three is our motion  
2 to strike ParkerVision's unsupported expert testimony based off  
3 of their failure to perform any simulations or testing of the  
4 actual product. And on this point, I think both parties cite to  
5 the *Kumho Tire* case, and the *Kumho Tire* decision is very clear.  
6 It's the district court's obligation to make certain that an  
7 expert, whether basing testimony on professional studies or  
8 professional experience, employs in the courtroom the same level  
9 of intellectual rigor that characterizes the practice of an  
10 expert in the relevant field. So that's the requirement. And  
11 now we need to look at what evidence goes to what is the level  
12 of intellectual rigor that characterizes the field of circuit  
13 analysis and -- yeah, of circuit analysis. So this is, you  
14 know, sort of clear guidance to a court on what is required of  
15 the court as a gatekeeping function.

16 The *Becton, Dickinson* case is also very instructive on why  
17 testing is necessary. In *Becton, Dickinson*, the Plaintiff in  
18 that case did not provide any test data or even a single  
19 demonstration related to this energy storage concept. So they  
20 argued that this device works in a certain way by just looking  
21 at the general designs of the device, but the Federal Circuit  
22 said, That's not enough when the whole, you know, core dispute  
23 of a case is about how this device actually functions. You  
24 can't just merely look at it.

25 Now, I want to go through the undisputed evidence about

1 what is the level of intellectual rigor that's required in the  
2 field of circuit analysis. So we have our first textbook.  
3 Simulation is necessary to accurately predict detailed circuit  
4 behavior. "Necessary," that's the word used. So if we're  
5 looking at slide 64, this is the CMOS VLS Design book that, you  
6 know, is well known in the art.

7 If we go on to slide 65, we have another book. This book  
8 is actually by Phillip Allen, the expert that ParkerVision  
9 selected in this case, and what Dr. Allen said is he said, A  
10 designer must turn to computer simulation methods to confirm the  
11 design's performance. What is exactly at issue here is how  
12 these circuits perform. You know, we have to.

13 So 64 is simulation is necessary. Slide 65, this CMOS  
14 Analog Circuit Design book, by Phillip Allen, he says, You must  
15 turn to computer simulation.

16 THE COURT: Can I interrupt just for a second? I  
17 don't ask rhetorical questions. I was not involved in  
18 ParkerVision I, so I don't profess to know the nuances. But was  
19 there simulation in that case?

20 MR. GARDNER: That was actually one of issues that was  
21 a dispute in ParkerVision I. And there is actually a long  
22 history here, which I think is worth going through. So in  
23 ParkerVision I we made an argument that they were required to  
24 rely on simulations and testing, and in ParkerVision I their  
25 expert, Dr. Prucnal, did simulations. Now, we had issues with

1 those simulations, and we said he should have actually  
2 simulated, you know, a designer that was closer, but he actually  
3 included simulations.

4 At trial, Dr. Prucnal decided to drop those simulations out  
5 of his report. The Federal Circuit made note of that in its  
6 opinion. So if we look at the -- it's actually, the quote is on  
7 slide 68. So I can just go there for a moment. So the Federal  
8 Circuit said ParkerVision failed to do -- oh, I'm sorry. This  
9 is the -- this was the district court. The district court said,  
10 ParkerVision failed to do any testing of any of the accused  
11 QUALCOMM products in finding JMOL in QUALCOMM's favor.

12 So then we came to the next case, and that's actually -- it  
13 sets up the next issue quite pointedly because then we came to  
14 ParkerVision II, and we had a dispute with ParkerVision about --  
15 our view was that we would provide certain information and that  
16 they should be able to do simulations and testing using that  
17 information, and it was the format that the schematics were in.

18 And ParkerVision counsel -- if we go to slide 66 -- so  
19 ParkerVision's counsel said -- you know, they sort of set up  
20 this testimony -- during the course of designing a circuit, it  
21 sometimes happened that the engineer thought it would work a  
22 certain way and it doesn't work that way; it works in a  
23 different way. And so they say, It's really not possible to  
24 test the actual performance of a circuit in one of these  
25 computer chips without simulation. ParkerVision's counsel made

1 the same representation to the court that we're talking about  
2 today.

3 So in ParkerVision I, we said simulation was necessary.  
4 ParkerVision, we thought, did not rely -- at trial what ended up  
5 happening is Dr. Prucnal dropped his simulations because they  
6 were so faulty, and so then they had a failure of proof issue.  
7 You know, it wasn't whether his simulations were reliable or  
8 not. It was that he, therefore, didn't do them. And as the  
9 Court addressed in the ParkerVision I decision, the Court looked  
10 at that and said, That's important. It's not enough. It  
11 supports the fact that QUALCOMM doesn't infringe.

12 In slide 67, ParkerVision's counsel went on.

13 THE COURT: I'm sorry. Let me just go back for a  
14 second. That slide that you just referenced, I looked at the  
15 caption and it appeared it was a discovery hearing in front of  
16 the magistrate judge. So there was a discovery request for data  
17 to do the simulation. Is that --

18 MR. GARDNER: That's correct. So I mean, we had this  
19 big dispute, and it really was really not about the information  
20 itself. They wanted to be able to simulate in QUALCOMM's live  
21 design environment, and QUALCOMM didn't want to give them access  
22 to that live design environment. We said, We'll provide you all  
23 the information so you could build your own simulations. We  
24 lost on that issue, by the way, and we ended up -- well, we  
25 eventually lost on that issue, and we ended up having to provide

1 them access to the live design environment, and as we'll talk  
2 about, they ended up doing simulations in every other case but  
3 this one.

4 So I do want to go on ParkerVision counsel's further  
5 statements at that same hearing. They actually do this analogy,  
6 which I think is great. It's a great analogy. They say, Just  
7 because you know how the keys are laid out -- to compare a  
8 circuit schematic to the keys on a piano. And they say, Just  
9 because you know how the keys are laid out, doesn't mean you  
10 know what tune they're set up to play. So even ParkerVision's  
11 counsel represented to this Court that simulation was necessary.  
12 It's consistent with the textbooks that we've seen. It's  
13 consistent with ParkerVision's statements.

14 So on slide 68 we talked about that after they failed to  
15 perform testing in ParkerVision I, ParkerVision demanded access  
16 to QUALCOMM's live design environment. So there's a little bit  
17 of -- ParkerVision I was filed. This was actually the second  
18 case. And then ParkerVision filed both a district court and an  
19 ITC case against QUALCOMM. And the ITC case, that came next,  
20 right? So it went ParkerVision I and then the ITC case went  
21 next.

22 Dr. Steer, the expert that they're relying on, did  
23 simulations. He actually relied on simulations in that case.  
24 And so that's cited on slide 68 where Steer's ITC statement,  
25 CX-005C, he says he performed or directed the performance of

1 simulations of the circuitry in QUALCOMM'S chips. They demanded  
2 access to be able to do those simulations. They got access.  
3 And they ran those simulations. For Dr. Steer that was  
4 necessary in that case.

5 When we look at what happened in the ParkerVision III case,  
6 once again, it was actually Dr. Allen in that instance. He was  
7 the one that was doing the simulations, and he also performed  
8 simulations in order to support his analysis.

9 THE COURT: That's the Jacksonville case.

10 MR. GARDNER: That's correct.

11 THE COURT: What's the status of that case?

12 MR. GARDNER: That case is stayed pending this case.  
13 So once again, they performed simulations. There was no -- I  
14 mean, we've been providing this argument from ParkerVision I on  
15 day one that they need to do simulations, and they're just not  
16 doing them because they want to say that circuits operate in a  
17 way that they don't. And, again, Dr. Razavi has provided these  
18 simulations. But they bear the burden of proof on this issue.  
19 So before they can go and testify to the jury, they need to  
20 actually meet their burden of proof and have admissible  
21 evidence, and just their expert opining without more is not  
22 enough.

23 THE COURT: And what happened in the ITC case? That  
24 was dropped, wasn't it, at some point?

25 MR. GARDNER: Yep. So we were one day before the

1 hearing was supposed to start, and ParkerVision acknowledged  
2 that they couldn't meet their burden in that case and walked  
3 away.

4 THE COURT: Were simulations already done in that  
5 matter and discovery taken on those or no?

6 MR. GARDNER: Yep. We deposed their expert on those  
7 simulations. As we'll talk about later, in that case, one of  
8 the other big issues was the importance of looking at the  
9 layout, which is a part of the schematic, and that was actually  
10 a really big issue in that case, and as we'll get to -- it's a  
11 separate issue, but now ParkerVision's expert says he didn't  
12 have access to some schematics, which is a little unbelievable  
13 because he looked at them as part of that case.

14 All right. So moving on to slide 69. Now, it's really  
15 important that we talk about the actual evidence of what the  
16 industry -- what level of rigor is required in this industry  
17 because what we have submitted is we've submitted textbooks. We  
18 submitted ParkerVision's own statements to this Court, their own  
19 representations to this Court. And in Dr. Razavi's expert  
20 report he goes into detail about why for these issues you  
21 actually need simulations.

22 And what they try to do is they try to take a statement  
23 from Dr. Razavi out of context, and they try to say, Well, this  
24 shows that not using simulations is sufficient. But it's not  
25 what Dr. Razavi said. So the quote that they pull from

1 Dr. Razavi is they say that, Schematics and technical documents  
2 are the type of documents or document that experts in the field  
3 would reasonably consider in evaluating the operation of a  
4 circuit. That's undisputed. We are not saying you wouldn't  
5 consider that information. The issue is whether you would rely  
6 on that information without doing more. And, again, in the  
7 field of circuit analysis, simulations are necessary. You must  
8 do simulations, as Dr. Allen put it.

9 The next thing that ParkerVision's counsel points out to,  
10 and I know they showed a slide of this earlier in the day, they  
11 said, Well, we can do these calculations about individual  
12 circuit components. Right? We have two issues with this. One  
13 is, there's been interaction between all of the these  
14 components. So we're not talking about doing a calculation on  
15 one or two circuit components. We're talking about incredibly  
16 complex devices that have, you know, tens of thousands or  
17 hundreds of thousands of components in them. Even the number of  
18 components -- I don't even know if I could count the number of  
19 components just that are sort of within the bounds of what  
20 ParkerVision's infringement arguments are.

21 And they say, Well, we can do these calculations. Well,  
22 that's an issue too because, for example, ParkerVision points to  
23 this calculation ECT<sub>x</sub> equals and then they provide this formula.  
24 Nowhere in ParkerVision's report does it actually tell us what  
25 the input I mix is. It's completely absent so that we can't

1 actually do the calculations that ParkerVision says. And,  
2 again, that comes to the decision in *Daubert* that you have to  
3 have testing or you have to have data, and we don't have either  
4 of those things here.

5 ParkerVision's counsel says, Well, we provided  
6 calculations. That is just absolutely not true. I would be  
7 glad for ParkerVision's counsel to get up here and point to a  
8 single instance where they tell us what the value of I mix is  
9 for the accused products. It's just completely absent from  
10 their reports.

11 Slide 71 ParkerVision also argues that -- well, I'm sorry.  
12 QUALCOMM's position is that we have a dispute about whether  
13 transistors operate in terms of gating or switch modules. And  
14 they say, Well, Dr. Steer wasn't required to rely on simulations  
15 for that issue because he relied on other evidence. They didn't  
16 actually include any cites in their report. We're happy to  
17 consider them, but we think that that's -- you know, the time to  
18 do that was then.

19 Slide 72. We also have this issue as to whether or not  
20 these products create harmonically rich signals at their output.  
21 Again, ParkerVision, you know, in essence they point to all of  
22 the times where the word "harmonic" appears, right? And they  
23 say, like, That's enough. We pointed to harmonics, so that must  
24 be a harmonically rich signal. This is actually the issue that  
25 was squarely in front of the Federal Circuit during the IPR

1 appeals, and what they told the Federal Circuit was just the  
2 word "harmonic" isn't enough. But now they turn around in this  
3 case and say, Oh, I'm sorry. The word "harmonic" is enough. If  
4 we point to somebody saying harmonic, that's enough.

5 But, again, I think it's -- a bigger issue here is, you  
6 actually need to simulate the circuit to know whether or not it  
7 performs that way, and, you know, we can just rely on what  
8 ParkerVision's own expert told us, we can rely on what  
9 ParkerVision's counsel told the Court, and we can rely on what  
10 the textbooks say, and that's the only evidence we have on the  
11 record, and that evidence is undisputed.

12 THE COURT: Thank you very much.

13 MR. GARDNER: Thank you.

14 MR. VERBONCOEUR: Your Honor, there's no law that  
15 experts need to automate calculations in lieu of doing them by  
16 hand in order for their testimony to be admissible under  
17 *Daubert*. At most, that is an issue with the strength of their  
18 evidence rather than reliability. As I showed earlier and as I  
19 will discuss again, QUALCOMM's own expert discusses how  
20 simulations are based on well-understood physics principles of  
21 circuit components that can be used with accurate mathematical  
22 models.

23 And to answer counsel for QUALCOMM's question, yes, we can  
24 talk about I mix, and here's how we do it. And then I'm going  
25 to go through the presentation. But here on slide 137 is

1 ParkerVision's opening report. And what the report is  
2 describing is how inputs range on the accused products, the  
3 frequency ranges. And the report describes how there are  
4 different sampling periods and they're labeled LO\_IP periods and  
5 how these affect the -- in this case the question is the energy  
6 flowing from the mixer into the capacitor and whether it's  
7 negligible.

8 If you can --

9 THE COURT: What was the impediment, though, to doing  
10 sampling here, if it was done -- or simulations, rather, if it  
11 was done in ParkerVision I, the ITC, and ParkerVision III, why  
12 not here?

13 MR. VERBONCOEUR: We cite to deposition testimony from  
14 our expert. And QUALCOMM cites to deposition testimony  
15 appearing right before this question and answer in our brief.  
16 And the testimony was, Why didn't you do simulations? I didn't  
17 think it would be very profitable. And then the follow-up  
18 testimony was, The test benches QUALCOMM gave us were horrible.  
19 And what QUALCOMM's counsel omitted from the presentation is  
20 that a lot of the discussions back and forth on simulations have  
21 to do with the underlying assumptions that go into them. So  
22 simulations are, in effect, computerized mathematics. If you  
23 get improper assumptions into those simulations, you'll get  
24 incorrect results, just like if you have improper assumptions  
25 into calculations, even if the underlying equations are correct.

1 And at bottom, that's the issue. And our expert's concern was  
2 that they didn't believe that the testing environments were  
3 appropriate. They were, quote, horrible.

4 And here, so I just showed you the calculation that --

5 THE COURT: But that didn't stop ParkerVision the last  
6 three times, right? I mean, my concern is this: I understand  
7 that experts don't have to necessarily always do a simulation to  
8 have their testimony admissible. But the argument by QUALCOMM  
9 is you have to look at whether there's the same intellectual  
10 rigor or are we getting closer to the expert telling the jury,  
11 Trust me. I have a lot of degrees. And mathematical equations  
12 may be one way to get past the notion of, you know, just trust  
13 me. But if you were able to do them before and if QUALCOMM gave  
14 access to the same environment they would use or, you know,  
15 words to that effect, then why not do it, other than the outcome  
16 may not be desirable?

17 MR. VERBONCOEUR: Several responses to that, Your  
18 Honor. First, I don't think it's accurate to say that the  
19 earlier cases hinged on simulations. The Federal Circuit made a  
20 comment about the simulations.

21 THE COURT: So did Judge Dalton.

22 MR. VERBONCOEUR: Sorry.

23 THE COURT: He seemed to be critical of it.

24 MR. VERBONCOEUR: Sorry. I misspoke. It was actually  
25 Judge Dalton's comment. It wasn't the Federal Circuit's comment

1 on simulations. And the comment had to do with an overall, in  
2 Judge Dalton's view, lack of evidence for certain limitations.

3 THE COURT: But if Dr. Allen is the one -- and I know  
4 he's not there, but he was initially there prior to his health  
5 turning against him, which is very unfortunate. But if he is  
6 publicly of the opinion that simulations is the gold standard,  
7 so to speak, then why are we dropping down to something less  
8 than the gold standard now, without it causing me to question  
9 the reliability of the expert's methodology?

10 MR. VERBONCOEUR: As Dr. Allen testified and Dr. Steer  
11 testified, they didn't think simulations were necessary in this  
12 case to show infringement of the claim limitations.

13 THE COURT: My question point is his publications  
14 before he was retained in this case, he seemed to take a  
15 different view.

16 MR. VERBONCOEUR: He took a different view and he was  
17 talking about very complex circuit behavior; whereas here the  
18 view is I'll go through this but using the models that were used  
19 and using the design review documents, which by the way, include  
20 QUALCOMM's own simulation results and QUALCOMM testing review  
21 documents, which we cite to as well, which also include  
22 QUALCOMM's testing results. These documents, including  
23 QUALCOMM's own tests in conjunction with these mathematical  
24 analyses gives them more than enough, and they don't have to  
25 give the, quote, horrible test benches that they felt they were

1 provided. They can use QUALCOMM's own information on that  
2 front.

3 THE COURT: Not to debate it too much, but this is  
4 obviously going to be an important point. If ParkerVision felt  
5 the testing environment was less than ideal, then the remedy is  
6 to go before a magistrate judge and get an ideal environment to  
7 do the testing, and if denied, then, you know, deal with that by  
8 whatever means is necessary. But if you're relying on  
9 QUALCOMM's simulations, I doubt it's to prove the same point you  
10 want to prove, right? They're running simulations of their  
11 circuits as they believe they work, and you want to run showing  
12 that they infringed the patents.

13 So I'm just concerned as to why it wasn't done if that  
14 seems to be the state of the art, could have been done, the data  
15 was available, and if you didn't like the testing environment,  
16 you know, your remedy is to get that fixed.

17 MR. VERBONCOEUR: I don't know the exact timing of  
18 that discovery request and whether it pushed against other  
19 deadlines, firstly. I think --

20 THE COURT: It started in 2014. We've had plenty of  
21 time.

22 MR. VERBONCOEUR: Yes. Well, I also point out that  
23 the documents that I mentioned with QUALCOMM testing and  
24 simulation and stuff, we don't disagree with them. We cite to  
25 them. So we agree with those. The simulations it seems like we

1 disagree with are those produced by QUALCOMM's paid expert,  
2 which in our view, we prefer citing to QUALCOMM's own design  
3 review, testing review, schematic documents in lieu of the  
4 simulations created for litigation.

5 And so as far as reliability, presumably QUALCOMM  
6 engineers who were putting together these designer review  
7 documents, putting together these schematics for exercising a  
8 baseline of reliable intellectual rigor and because they've  
9 exercised the baseline of reliable intellectual rigor, it makes  
10 sense to rely on this work, particularly when rebutting  
11 simulation done by a paid expert in anticipation of litigation.

12 And I do want to point out what this slide here is on 133  
13 because I also think it may be responsive to this overall point  
14 about simulations. What our experts did -- and this is actually  
15 the work of Dr. Steer who prepared these, and this is a appendix  
16 to the receiver report which deals with the '907 and '940  
17 patents, just only for a subset of claims in the '940 patent --  
18 went through every single accused product architecture, and this  
19 is true for received and transmit. And he pieced together all  
20 of the schematics to show just how complex QUALCOMM's products  
21 are. And for every product the inputs are traced through the  
22 whole product in order for the expert to fully understand how  
23 these devices work, and this work was done prior to the other  
24 sorts of proof we offered of infringement in the expert report.

25 So there's no debate that there was an intense analysis of

1 the products here, and there was no debate that this intense  
2 analysis occurred prior to the experts deciding to rely on  
3 QUALCOMM's own schematics, design review documents, and testing  
4 review documents to show their infringement positions.

5       Would you go back to document 127.

6           I think at bottom the debate QUALCOMM brings for the motion  
7 is about the strength of the evidence, rather than reliability.  
8 I don't think that QUALCOMM can seriously contend that its own  
9 design review, testing review documents, and schematics are  
10 unreliable methodologies and that it's unreliable to rely on  
11 those, particularly for firm propositions present in those  
12 documents or from firm statements made by QUALCOMM engineers who  
13 have for decades tested and simulated the products at issue. I  
14 don't think that contention can be seriously made.

15           If QUALCOMM feels that we have insufficient evidence, it's  
16 more appropriate for motion for summary judgment, rather than a  
17 *Daubert* question.

18           And the question -- well, I think I may have pointed this  
19 citation out earlier from QUALCOMM's expert, Dr. Razavi, but  
20 Dr. Razavi says that a design review document from QUALCOMM is  
21 exactly the sort of document that an expert would reasonably  
22 rely on in evaluating a circuit, of course in conjunction with  
23 other evidence.

24           I would like to distinguish the cases that QUALCOMM cited  
25 on this point. Three of them are not at all about *Daubert*, and

1       they're about the weight of evidence rather than its  
2       admissibility. And so *Becton, Eltech, and Abbott*, and this is  
3       slide 128, don't speak to this issue, whether, as far as the  
4       district court's role as a gatekeeper, this evidence should be  
5       admissible. And none of them, by the way, involve circuits or  
6       computerized simulations in lieu of the same equations on paper.

7       The *Cobra* case I would like to focus on because while  
8       QUALCOMM cites this case, I think this proves our point. In  
9       *Cobra*, which is on slide 129, this was a *Daubert* challenge,  
10      unlike QUALCOMM's other cases, and the Defendants challenged an  
11      expert's ability to opine on the physical structure and elements  
12      of an integrated circuit. There were actually apparatus claims  
13      at issue in that case. The Defendants argue that, among other  
14      things, the expert failed to identify circuit components and map  
15      those components to the corresponding depictions in the circuit  
16      diagrams.

17      Now, I showed you the very exhaustive effort taken by  
18      Dr. Steer to do that for all of the products, in part because we  
19      have no representative products agreement, but this was done for  
20      dozens of products, and in the way these receiver products work,  
21      there are dozens of inputs. There are different receive bands,  
22      and, you know, depending on the frequencies, the signal might go  
23      a different route in the product, and as I showed, our expert  
24      traced all of the those.

25      So our expert did exactly what the *Cobra* defendant said was

1 missing from the *Cobra* plaintiff's expert's analysis. That is,  
2 they mapped the circuit components, and they mapped them to the  
3 claims. To use the language from *Cobra*, they identified the  
4 various circuit components, and they mapped those circuit  
5 components to depictions in the Defendants' circuit diagrams.

6       What we have here on slide 130 is an excerpt from the  
7 opening report of ParkerVision's expert, and the schematics that  
8 QUALCOMM itself produces, so it should be reliable, are marked  
9 with the corresponding claim limitations, and we have here --  
10 this is just exemplary. There are schematics for every one of  
11 the accused products that are mapped. You know, they're cited  
12 as far as the mapping of claims. These are just exemplarily  
13 schematics that were included.

14       And on slide 133, this is the diagram I've showed you.  
15 What's difficult to see, I imagine, on slide 133 from your  
16 vantage point is there are actually Bates numbers cited for each  
17 one of those components. There are boxes drawn around each  
18 component. And the schematic that corresponds to that component  
19 is cited there. So it's not just a large diagram. It's a large  
20 diagram that explains how dozens of 4 foot by however many feet  
21 schematics fit into an overall picture of the behavior of the  
22 product. And, again, this is tracing the various inputs of the  
23 products.

24       I do believe actually that I may have misstated something.  
25 This particular product has over a dozen inputs. I believe our

1 expert didn't trace all of them, but used some of them as  
2 representative, based on his experience with these sorts of  
3 inputs.

4 Now, I want to address -- so QUALCOMM's claim I don't think  
5 is, you always need simulations to prove anything ever about  
6 circuits. They identify three particular issues on which they  
7 allege ParkerVision can't offer proof because it doesn't use  
8 simulations. I'll again point out it's more appropriate for  
9 summary judgment, but putting that aside, and showing our proof  
10 on these issues for *Daubert* purposes, I'll go through each of  
11 those three factual points and show what proof we do offer, and  
12 that it is reliable.

13 The first is, QUALCOMM argues that ParkerVision needed  
14 simulations to show energy stored on the capacitor. We talked  
15 about this at length this morning, as it relates to Tau, because  
16 that relates to the charge and discharge of the capacitor. And  
17 our expert, using what I showed in appendix D, which was the  
18 complex diagram showing all the various components, mapped out  
19 how the circuit would operate based on those diagrams and was  
20 able to express a Tau value here in the receiver opening report,  
21 which, again, controls the charge and discharge of the  
22 capacitor. And that is identified for a particular phase of  
23 what's called the local oscillator signal. And then, based on  
24 the way the products are run and the frequency range of the  
25 products, we are able to show that the current flowing into the

1 capacitor is a certain amount, and then what's cited here is  
2 values, such as time constant resistance and capacitance.

3 We then cite to the schematics for all of the accused  
4 products, where all one would have to do to calculate that was  
5 look at the schematic, look at the value of R, for instance, and  
6 the value of C and put this into here, which would give you for  
7 every one of the accused products a printout of the energy  
8 stored, of course depending on the particular signals that were  
9 received by the product. And then this is the analysis here  
10 where it's, I guess you could say, plug and play. We cite to  
11 the schematics, which are reliable. You can put in these  
12 values, and then for all, you know, 16 of the products in  
13 dispute you have proof that the claim limitation is met.

14 And as I pointed out earlier and this morning, QUALCOMM's  
15 expert doesn't disagree that mathematical models are reliable.  
16 In fact, QUALCOMM's expert says, Accurate mathematical modules  
17 can be created for all common components, and QUALCOMM's motion  
18 does not argue that their components are so uncommon as to need  
19 different treatment.

20 In this slide 140, and this is QUALCOMM's expert  
21 Dr. Razavi's rebuttal report, describes how mathematical models  
22 and components are used in circuit simulations, and I think this  
23 is important because, if we believe Dr. Razavi here, then we are  
24 talking about mathematical models at bottom, and that's  
25 important for reliability. As with any computer program or any

1 simulation, if the assumptions going into that simulations are  
2 poor, then those could be poor assumptions about the way that  
3 circuit components operate or it could be factual issues about  
4 the values. Those simulations may be led astray. That's  
5 exactly true for the way that mathematical equations could be  
6 used on circuit components too.

7 And then I'll address QUALCOMM's other case. The *Dolphin*  
8 case that QUALCOMM cites was, in fact, a *Daubert* challenge, but  
9 it had nothing to do with circuits or simulations, and the issue  
10 there, unlike here, was the challenged expert in *Dolphin* said,  
11 Hey, my tests are insufficient. It involved metallic surgical  
12 bone screws. And the expert said, Well, I tested it, but I  
13 wasn't able to see what I needed to see, and on that basis, the  
14 Defendants moved under *Daubert* to strike the expert. We don't  
15 have that situation here. Both the ParkerVision experts were  
16 asked, Is your proof sufficient? And they said, Yeah, it is.  
17 So *Dolphin* can also be distinguished.

18 And this is an excerpt from Dr. Steer's deposition on slide  
19 142, and this is exactly what I was just talking about where  
20 Dr. Steer said there was, in fact, sufficient information in the  
21 QUALCOMM documents, so different than the *Dolphin* case.

22 Another case that was cited was *Rembrandt*. The issue in  
23 that case, and this one is also distinguishable, was the expert  
24 testified for the first time at trial that he didn't document  
25 his protocol. It was entirely inconsistent with the procedures

1 he disclosed in the expert report, and there was an oral motion  
2 made. But we don't have that situation here either. There's  
3 well-documented and reliable approaches in the ParkerVision  
4 expert reports. They cite to all of the schematics. They cite  
5 to appendix D, which is the diagrams that I showed you from the  
6 schematics. They cite to the circuit calculations. This case  
7 is also distinguishable.

8 So I have addressed the first factual proposition, QUALCOMM  
9 requires needed simulations, the energy and the capacitor.

10 The second is whether the devices have open and closed  
11 states, and this relates to the transient patents, the '372 and  
12 the '940 patent, and it's, in particular, the gating means  
13 limitations in those patents. And the issue is whether the  
14 devices take open and closed states.

15 And this deposition testimony, Your Honor, on page -- or  
16 sorry, slide 145 is clear. The question -- and this is a  
17 principal engineer, a manager by the way, who had been at  
18 QUALCOMM for more than a decade. "How does the LO signal on  
19 each of the transistors allow current to flow through there?"  
20 In essence, how does the transistor take a closed state? How  
21 does it allow current to flow across it?

22 "When signal of the gate goes to a voltage which is higher  
23 than the threshold voltage, then the mixer devices allow the  
24 current to pass through." That's an unequivocal statement and  
25 it's made by someone who has more experience with QUALCOMM's

1 products than either QUALCOMM's experts or ParkerVision's  
2 experts. This is a reliable source of information, someone who  
3 has tested the products for decades, about how they work.

4 And then, again, as for the open state, "At what point do  
5 the transistors turn off and stop letting current through?"

6 Answer, "When the voltage goes below the threshold  
7 voltage." And that's just open and close.

8 And this is QUALCOMM's own design review document cited on  
9 slide 147, shows the same proposition. Again, this is  
10 QUALCOMM's own engineers, not in anticipation of litigation,  
11 describing to themselves how the products work.

12 MR. BRIGHAM: I'm sorry, Your Honor. I do apologize.  
13 We're starting -- can we take that down for a second? We're  
14 starting to get into highly confidential QUALCOMM information.  
15 And so we were trying to wait as long as we could before  
16 invoking that. I think at this point -- I don't know how much  
17 longer you're going into these details, but at least the last  
18 slide had confidential information. I know that we agreed as a  
19 courtesy that Mr. Parker could stay in, but if you're going to  
20 get into confidential QUALCOMM information, I would ask that  
21 others leave the court.

22 THE COURT: The other people who are here, are they  
23 all attorneys involved in the case or no?

24 MR. BRIGHAM: I don't think so.

25 MR. VERBONCOEUR: No, they're not.

1                   THE COURT: All right. Then I think if we're going to  
2 be speaking about confidential information, I'm sure you have a  
3 confidentiality agreement that limits it to the attorneys and  
4 the experts working on the case.

5                   MR. BRIGHAM: Yes.

6                   THE COURT: So while we're talking about that  
7 information, we'll close the courtroom, let you do this  
8 presentation, and then once you're done with that part, we'll  
9 bring everyone back in.

10                  MR. BRIGHAM: Yes.

11                  THE COURT: Fair enough?

12                  MR. BRIGHAM: Yes. Thank you.

13                  THE COURT: So anyone in the courtroom who is not an  
14 attorney on the trial team or an expert retained to work on the  
15 matter, I apologize, but please step out for a moment. We'll  
16 let you know as soon as we get past this, and then you can come  
17 back inside.

18                  MR. BRIGHAM: Your Honor, as a courtesy, we've allowed  
19 Mr. Parker stay in here as the claim representative.

20                  THE COURT: Of course. Yes.

21                  (Proceedings sealed.)

22                  THE REPORTER: Your Honor, would you like to seal this  
23 portion of the proceedings?

24                  THE COURT: Yes, please. We'll seal this part of the  
25 record as well.

1        Well, I would've bet money there would not be outside  
2        disinterested parties coming to watch this.

3            MR. VERBONCOEUR: And I probably will have a number of  
4        slides.

5            THE COURT: Let me know when you're done with this  
6        portion, then we'll go back to an open record, and we'll have  
7        everybody come back in.

8            Okay. So you were on slides 145 through 146. I think  
9        you're back now at 143. There we are, 146.

10        [REDACTED]

11        [REDACTED]

12        [REDACTED]

13        [REDACTED]

14        [REDACTED]

15        [REDACTED]

16        [REDACTED]

17        [REDACTED]

18        [REDACTED]

19        [REDACTED]

20        [REDACTED]

21        [REDACTED]

22        [REDACTED]

23        [REDACTED]

24        [REDACTED]

25        [REDACTED]

1 [REDACTED]

2 [REDACTED]

3 [REDACTED]

4 [REDACTED]

5 [REDACTED]

6 [REDACTED]

7 [REDACTED]

8 [REDACTED]

9 [REDACTED]

10 [REDACTED]

11 [REDACTED]

12 [REDACTED]

13 [REDACTED]

14 [REDACTED]

15 [REDACTED]

16 [REDACTED]

17 [REDACTED]

18 [REDACTED]

19 [REDACTED]

20 [REDACTED]

21 [REDACTED]

22 [REDACTED]

23 [REDACTED]

24 [REDACTED]

25 [REDACTED]

1 [REDACTED]

2 [REDACTED] [REDACTED]

3 [REDACTED] [REDACTED]

4 [REDACTED]

5 [REDACTED] [REDACTED]

6 [REDACTED]

7 [REDACTED]

8 [REDACTED]

9 [REDACTED]

10 [REDACTED]

11 [REDACTED]

12 [REDACTED]

13 [REDACTED]

14 [REDACTED]

15 [REDACTED]

16 [REDACTED]

17 [REDACTED] [REDACTED]

18 [REDACTED] [REDACTED]

19 [REDACTED]

20 [REDACTED]

21 [REDACTED] [REDACTED]

22 [REDACTED]

23 [REDACTED]

24 [REDACTED]

25 [REDACTED]

1 [REDACTED] [REDACTED]  
2 [REDACTED]  
3 [REDACTED]  
4 [REDACTED]  
5 [REDACTED]  
6 [REDACTED]  
7 [REDACTED]

8 I want to address this, which wasn't brought up in argument  
9 but falls under the same motion header. QUALCOMM filed I think  
10 it's a three sentence motion against ParkerVision's other  
11 expert.

12 But before I get into that, Your Honor, I want to make sure  
13 that my remaining slides are public information. They are, so  
14 we can unseal this part.

15 THE COURT: Will we be going back to sealed  
16 information or no?

17 MR. GARDNER: I believe so, Your Honor.

18 THE COURT: So why don't we just stay the course for a  
19 moment.

20 MR. VERBONCOEUR: Okay. So this is the entirety of  
21 their motion with respect to Mr. Sorrells' report. There's no  
22 argument here, other than Mr. Sorrells has never seen QUALCOMM's  
23 schematics or confidential information. How he arrives at his  
24 conclusions remain a mystery.

25 ParkerVision, of course, doesn't -- there's no law citing

1 that you need confidential information. There's no law that you  
2 need schematics. So, of course, we're ready to respond to this,  
3 but I'll point out that there's no actual substance here  
4 warranting legal relief.


5 And in our response what we'll do is cite back to the *Cobra*  
6 case that QUALCOMM cited in support of its arguments on  
7 simulations. And what the *Cobra* case says is that the  
8 defendant's position was that there was never reverse  
9 engineering of the circuit design on the chip in the accused  
10 products. The products were never analyzed under a microscope.  
11 And the components were never identified and never mapped to  
12 diagrams.

13 It turns out Mr. Sorrells did all of those things through  
14 teardowns. What I have here is in Mr. Sorrells' report, which  
15 again, wasn't addressed by QUALCOMM at all. This is an image  
16 taken with an electron microscope. This is a teardown of one of  
17 QUALCOMM's chips, the chip that Mr. Sorrells testifies to.

18 And what this electron microscope does is it is able to see  
19 very, very tiny things, because QUALCOMM's chips are full of  
20 very tiny components, and it allowed Mr. Sorrells, in  
21 conjunction with QUALCOMM white papers describing the same  
22 product, to map, exactly as the *Cobra* defendant says you needed  
23 to, the components in the circuits to the claim limitations at  
24 issue and to diagrams of the products. And so that's what we  
25 say here in Mr. Sorrells' report, which again, QUALCOMM did not

1 address.

2 [REDACTED]  
3 [REDACTED]  
4 [REDACTED]  
5 [REDACTED]  
6 [REDACTED]  
7 [REDACTED]  
8 [REDACTED] [REDACTED]  
9 [REDACTED]  
10 [REDACTED] [REDACTED]  
11 [REDACTED]  
12 [REDACTED]  
13 [REDACTED] [REDACTED]  
14 [REDACTED]  
15 [REDACTED]  
16 [REDACTED]  
17 [REDACTED]  
18 [REDACTED]  
19 [REDACTED]  
20 [REDACTED] [REDACTED]  
21 [REDACTED]  
22 [REDACTED] [REDACTED]  
23 [REDACTED] [REDACTED]  
24 [REDACTED] [REDACTED]  
25 [REDACTED] [REDACTED]



1 [REDACTED] [REDACTED]  
2 [REDACTED]  
3 [REDACTED] [REDACTED]  
4 [REDACTED]  
5 [REDACTED]  
6 [REDACTED]  
7 [REDACTED]  
8 [REDACTED] [REDACTED]  
9 [REDACTED]  
10 [REDACTED]  
11 [REDACTED]  
12 [REDACTED]  
13 [REDACTED] [REDACTED]  
14 [REDACTED]  
15 [REDACTED]  
16 [REDACTED]  
17 [REDACTED]  
18 [REDACTED] [REDACTED]  
19 [REDACTED]  
20 [REDACTED] [REDACTED]  
21 [REDACTED] [REDACTED]  
22 [REDACTED] [REDACTED]  
23 [REDACTED]  
24 [REDACTED]  
25 [REDACTED] [REDACTED]

1 [REDACTED] [REDACTED] [REDACTED]  
2 [REDACTED] [REDACTED] [REDACTED]  
3 [REDACTED]  
4 [REDACTED] [REDACTED] [REDACTED]  
5 [REDACTED] [REDACTED]  
6 [REDACTED] [REDACTED]  
7 [REDACTED] [REDACTED] [REDACTED]  
8 [REDACTED] [REDACTED]  
9 [REDACTED]  
10 [REDACTED]  
11 [REDACTED]  
12 [REDACTED] [REDACTED]  
13 [REDACTED]  
14 [REDACTED]  
15 [REDACTED]  
16 [REDACTED] [REDACTED] [REDACTED]  
17 [REDACTED]  
18 [REDACTED]  
19 [REDACTED] [REDACTED] [REDACTED] [REDACTED] [REDACTED]  
20 [REDACTED]  
21 [REDACTED]  
22 [REDACTED] [REDACTED]  
23 [REDACTED] [REDACTED]  
24 [REDACTED]  
25 [REDACTED]

1 [REDACTED] [REDACTED]  
2 [REDACTED] [REDACTED]  
3 [REDACTED] [REDACTED]  
4 [REDACTED] [REDACTED]  
5 [REDACTED]  
6 [REDACTED]  
7 [REDACTED] [REDACTED] [REDACTED]  
8 [REDACTED]  
9 [REDACTED] [REDACTED]  
10 [REDACTED]  
11 [REDACTED]  
12 [REDACTED] [REDACTED]  
13 [REDACTED] [REDACTED]  
14 [REDACTED]  
15 [REDACTED]  
16 [REDACTED]  
17 [REDACTED]  
18 [REDACTED]  
19 [REDACTED]

20 Unless the Court has questions, I can go to the next  
21 motion.

22 THE COURT: Nope. I'm ready to go on. Suzie, do you  
23 need a break or are you okay?

24 THE REPORTER: Yes, please.

25 THE COURT: Okay. We're going to take 15 minutes, let

1       her hands cool off, and then we'll come back and change motions.

2       Thank you.

3                   (Recess at 2:52 p.m., until 3:07 p.m.)

4                   THE COURT: The record should reflect the parties are  
5       present.

6                   I'm assuming we're back to nonconfidential information,  
7       correct?

8                   MR. GARDNER: Your Honor, there's a few slides in our  
9       presentation that do include confidential information. So we  
10      leave it to the Court on whether you would like people to come  
11      back in and then have to leave.

12                  THE COURT: How long do you think it will be before  
13      you get to that? I'm trying to get a sense so I don't have  
14      these folks yo-yoing back and forth.

15                  MR. GARDNER: Probably within 5 to 10 minutes.

16                  THE COURT: All right. We'll just keep going on for  
17      right now, and then when you're done-done, then I'll have the  
18      public folks come back in.

19                  MR. GARDNER: I think we'll be -- we're not sure about  
20      what our opposing counsel will present during their argument.

21                  MR. VERBONCOEUR: I suspect our response is also going  
22      to refer to --

23                  THE COURT: Say that one more time.

24                  MR. VERBONCOEUR: Our response will also refer to  
25      confidential information.

1                   THE COURT: Okay.

2                   MR. GARDNER: Yeah. The next motion is -- there are  
3 two motions that we think are linked. One is QUALCOMM's motion  
4 to strike regarding the '372 patent on the summing limitations  
5 and QUALCOMM's motion for summary judgment on the same issue.  
6 And the impact of this would be to eliminate all of the claims  
7 of the '372 patent that are currently at issue in the case, and  
8 that's because, as we'll discuss, all of the claims that are  
9 remaining in the '372 patent include the same summing  
10 requirement. And because ParkerVision has failed to rely or  
11 produce any adequate evidence and because the evidence that  
12 QUALCOMM has submitted indisputably establishes that we do not  
13 do summing in our order required by the claims, we think that a  
14 motion to strike and summary judgment is proper.

15                  So I want to provide the Court just a very brief background  
16 on what happens during the chip design process. So in the chip  
17 design process you go from sort of concept, you know, like what  
18 are the kinds of functions and features that I like. You then  
19 provide a schematic, which does a logical discussion of how the  
20 circuit should operate. That schematic does not actually show  
21 the structure of, like, of what's built. Right? So if I want  
22 to actually manufacture a chip, I need to tell the manufacturer,  
23 often referred to as a foundry, how to actually manufacture the  
24 chip. And what I use for that process is something that's  
25 called a layout. [REDACTED]

1

2

3

Now, I provided a couple of textbooks, just because I didn't want the Court to feel like they were relying on my description of how this process goes. So as you can see in slide 76, we have the textbook that talks about there's the circuit design process. That would be that logical step of defining how, sort of, logically how the circuit is operating, and then we have the physical layout process, and then, finally, that is passed on for fabrication and testing.

Again, if we look on slide 77, we see the same thing. We see that we do logic diagrams and description, schematics, then we do the VLSI design and layout, and then we verify that, and then that goes to mask generation and other steps that are about actually building the physical device.

I'm going to skip slide 77, getting repetitive.

Slide 79 is yet another book just confirming that we go from circuit level to actually physical level. So the schematic relates to the circuit, the logical analysis in the circuit, you know, and then we go to the physical level.

Analogy are always dangerous. So I'm going to start by saying that no analogy is perfect, but we wanted something to be able to help ground the Court in this, given that you're not a circuit engineer or a layout designer.

1       So when you're going to design a house, you know, you might  
2 start with the concept. Somebody will draw a picture of what  
3 your house is going to look like. You then need to go from  
4 there and you need to do something that would be akin to a  
5 schematic. You need to do the floor plan, you know, like how is  
6 the house going to be laid out in some way.

7       But there are additional details that aren't covered in a  
8 document like that. For example, if I wanted to know exactly  
9 what the plumbing in my house is going to look like, I would  
10 need to look at something that actually tells me what the  
11 plumbing looks like. I might be able to logically assume, you  
12 know, make certain assumptions about where plumbing is going to  
13 be in my house, because I know where bathrooms are and things  
14 like that, but it doesn't give me a true defined idea of what  
15 the plumbing is going to be. So the schematics in our case are  
16 like a, you know, sort of the drawings that you get for your  
17 house. You can make certain logical assumptions from those  
18 drawings, but you need -- if you wanted to know exactly how the  
19 plumbing is laid out, you would need to look at other  
20 information.

21       I'm going to, in the interest of time -- I think the Court  
22 will understand these points.

23       The issue here is that Dr. Steer made logical assumptions,  
24 but he didn't actually verify those logical assumptions by  
25 looking at the layout.

1 [REDACTED]

2 [REDACTED]

3 [REDACTED] [REDACTED]

4 [REDACTED]

5 [REDACTED] [REDACTED]

6 [REDACTED] [REDACTED]

7 [REDACTED] [REDACTED]

8 [REDACTED]

9 [REDACTED] [REDACTED]

10 [REDACTED] [REDACTED]

11 [REDACTED]

12 [REDACTED]

13 [REDACTED]

14 [REDACTED]

15 [REDACTED]

16 [REDACTED]

17 [REDACTED]

18 [REDACTED] [REDACTED]

19 [REDACTED] [REDACTED]

20 [REDACTED]

21 [REDACTED] [REDACTED]

22 [REDACTED]

23 [REDACTED]

24 [REDACTED]

25 [REDACTED] [REDACTED]

1 [REDACTED] [REDACTED]  
2 [REDACTED]  
3 [REDACTED]  
4 [REDACTED]  
5 [REDACTED]  
6 [REDACTED] [REDACTED]  
7 [REDACTED] [REDACTED]  
8 [REDACTED] [REDACTED]  
9 [REDACTED]  
10 [REDACTED]  
11 [REDACTED]  
12 [REDACTED] [REDACTED]  
13 [REDACTED]  
14 [REDACTED]  
15 [REDACTED] [REDACTED] [REDACTED]  
16 [REDACTED]  
17 [REDACTED] [REDACTED] [REDACTED]  
18 [REDACTED] [REDACTED] [REDACTED]  
19 [REDACTED]  
20 [REDACTED]

21 And, again, there's no dispute that Dr. Steer had access to  
22 this information. I mean, during his deposition, I think he  
23 provided some testimony that's different, but know that -- or I  
24 would assume that ParkerVision is not going to dispute that  
25 fact, given that he actually -- what happened in the previous

1 cases.

2 Now, as I said, the Cadence file has two parts. It has the  
3 schematic view and it has the layout view. And when we go back  
4 to exactly what Dr. Allen said in his own testimony -- as we  
5 discussed earlier, this was during that 2015 hearing in this  
6 case when they were demanding, like, access to additional  
7 information. And what Dr. Allen said is that these -- he  
8 characterized what schematics have in them. So he says at the  
9 bottom of his testimony on page 89, "They would be created using  
10 a software tool that you mentioned called Cadence with a  
11 schematic capture capability." And, again, he talks about that.  
12 He acknowledges it. He understands it. This is well known.

13 Dr. Allen, going to slide 90, he said, "But there's even  
14 more information and all that would not be available in the  
15 schematic." Right? And what he's referring to is, if I want to  
16 know the physical information, that's in another file called a  
17 layout.

18 Well, I'm sorry. I misspoke. It's not a different file.  
19 It's the exact same file.

20 THE COURT: Right.

21 MR. GARDNER: It's just a different view within the  
22 same exact Cadence file.

23 So Dr. Allen recognized the importance of this information  
24 and understood well that you need to be able to look at layouts.

25 Now, I don't want you to just believe me that this is just

1 a switch of a button, a click of a button in order to be able to  
2 switch views. So we'll look at Jim Jaffee's testimony. He's an  
3 engineer at QUALCOMM. And he says, "The ultimate way to  
4 validate this is that you switch the view. Instead of being  
5 schematic view, you would switch to the layout view." So he  
6 makes very clear in his testimony it's just simply switching the  
7 view. You know, this wasn't hidden information in any way. It  
8 was easily accessible. All somebody needed to do was push a  
9 button.

10 Now, Dr. Steer, when we deposed him and asked him, Why  
11 didn't you look at the layouts -- you know, the summing  
12 limitations are about the physical layout of a device. And we  
13 asked Dr. Steer, we said, Why didn't you look at this  
14 information? He said, I didn't have access to it. That's what  
15 his testimony was during his deposition in this case.

16 And, again, there's a long history here. During the ITC  
17 case, Dr. Steer performed some analysis when he didn't look at  
18 the layouts, and QUALCOMM raised the exact same issue we're  
19 raising in front of this Court. We said, It's improper for  
20 Dr. Steer to have failed to look at the layouts. And in  
21 response, do you know what Dr. Steer did? He went and looked at  
22 the layouts because that's what's required. So Dr. Steer in  
23 that case didn't say, Hm, I don't need to look at them.  
24 ParkerVision's counsel demanded to be able to look at those  
25 layouts, and Dr. Steer was given access to those layouts.

1       In fact, this is from his witness statement submitted in  
2 ITC. He talks about a series of documents and he says, "Yes,  
3 these are the layout documents for the WTR39251," one of the  
4 products, and he says, "They show how the chip is laid out."  
5 Dr. Steer fully understood what layouts were. He fully had  
6 access to those layouts. Those are the exact same files that  
7 were available to him in this case. He had that information,  
8 but he chose not to look at it.

9       Once again, we keep on coming back to this, the *Daubert*  
10 issue, and the Court seems very familiar with the law. So what  
11 we're talking about here is we're talking about the difference  
12 between Dr. Steer looking at something that does not tell you  
13 physically what the device looks like. It's undisputed. I  
14 mean, a schematic cannot possibly tell you how the device is  
15 physically laid out. That's just a impossibility.

16       What tells you how a device is laid out, as Dr. Steer  
17 testified to in his ITC deposition, as the books that we talked  
18 about show, as QUALCOMM's engineers talked about, is the layout  
19 itself, and failure to look at that is just completely improper  
20 on Dr. Steer's part. Right? The summing limitations are all  
21 about physically how are things connected inside the chip, and  
22 Dr. Steer chose to ignore them, or, alternatively, Dr. Steer  
23 looked at them and realized that they were very damaging to his  
24 case and so decided to try to rely on something else.

25       Now, we submitted briefing on this and we argued that

1 layouts show how the signals are combined in the final product.  
2 That was a statement of undisputed fact that we included.  
3 ParkerVision didn't respond. So we assumed that, under this  
4 Court's procedures, that that would be -- that would be an  
5 undisputed fact in this case.

6 ParkerVision instead just continues to make this argument  
7 that the schematics themselves are reliable and that they can  
8 rely on that schematic information. As we'll see, that's  
9 factually established to not be correct. The schematics in this  
10 case do not show how the products are laid out.

11 If we go to slide 97, we have here sort of a summary, and  
12 I'm going to refer to this as sort of a cascaded summing that's  
13 required by these claims. So, first, we have to take -- so we  
14 have four signals that need to be summed. I'm going to refer to  
15 them as signals 1 through 4, rather than what the claim language  
16 requires, but I don't think that there's any dispute about this.  
17 I just want to be clear to the Court. So in this -- and I think  
18 I really liked the analogy Dr. Razavi used. He said, We have  
19 wet ingredients, and we have dry ingredients. So if we say that  
20 signal 1 and 2 are wet ingredients and signal 3 and 4 are dry  
21 ingredients, what the patents require is that you first add  
22 together the wet ingredients and the dry ingredients separately,  
23 and then you take those combined sets of ingredients and you add  
24 them together into one final signal. And so it's undisputed  
25 that that is the requirement of these claims.

1 Now, ParkerVision doesn't have any reliable evidence that  
2 the accused products actually sum in that order. Again, what  
3 they point to are schematics, but schematics do not show  
4 physically how the different components are connected in order  
5 to see how they sum, and for that reason -- well, I mean, I  
6 think that there are two stages to this. Their failure to rely  
7 on any information or any reliable information means that their  
8 expert's opinions are excluded, and once their expert's opinions  
9 are excluded, summary judgment is proper. As we also have shown  
10 and when we actually look at the only reliable evidence, when we  
11 actually look at the layouts themselves, they show that summing  
12 is done in a different order.

13 [REDACTED]

14 [REDACTED]

15 [REDACTED]

16 [REDACTED]

17 [REDACTED]

18 [REDACTED]

19 [REDACTED]

20 [REDACTED]

21 [REDACTED]

22 [REDACTED]

23 [REDACTED]

24 [REDACTED]

25 [REDACTED]

1 [REDACTED]

2 [REDACTED]

3 [REDACTED]

4 [REDACTED]

5 [REDACTED]

6 [REDACTED]

7 [REDACTED]

8 [REDACTED]

9 [REDACTED]

10 [REDACTED]

11 [REDACTED]

12 [REDACTED]

13 [REDACTED]

14 [REDACTED]

15 [REDACTED]

16 [REDACTED]

17 [REDACTED]

18 [REDACTED]

19 [REDACTED]

20 [REDACTED]


21 [REDACTED]

22 [REDACTED]

23 [REDACTED]

24 [REDACTED]

25 [REDACTED]



1 [REDACTED]  
2 [REDACTED]  
3 [REDACTED]  
4 [REDACTED]  
5 [REDACTED]  
6 [REDACTED] [REDACTED]  
7 [REDACTED]  
8 [REDACTED]  
9 [REDACTED]  
10 [REDACTED]  
11 [REDACTED] [REDACTED]  
12 [REDACTED]  
13 [REDACTED]  
14 [REDACTED]

15 So where are we? Well, on the one hand, we have an expert  
16 who made assumptions. He said, I looked at the schematics, and  
17 so I'm going to assume that summing was done in this order. All  
18 I had to do was click a button, and I could have looked at the  
19 actual layout, the actual physical design of the device. That's  
20 all I needed to do. I didn't do that. Right? I'm just going  
21 to rely on the schematics.

22 That's like looking at this design of a house and saying, I  
23 know there's a bathroom there. I know how the plumbing is.  
24 Close enough, right? That's not sufficient for an expert's  
25 analysis in a case. The expert doesn't get to make up logical

1 assumptions about, you know, what he believes should happen or  
2 could happen. The expert actually has to actually look at the  
3 physical device in order to be able to understand it.

4 Now, again, there are various reasons why, and I don't know  
5 if ParkerVision -- they didn't address them in their briefing.  
6 I think we clearly refuted each of Dr. Steer's excuses during  
7 his deposition as to why he didn't look at the layouts. But the  
8 fact is, he had access to this, and he chose not to look at  
9 them, and that's not sufficient.

10 Now, again, we think it makes sense to argue this all  
11 together. The fact is that there's just two -- there's two  
12 reasons why ParkerVision -- why summary judgment should be  
13 granted in our favor, and one is that once the motion to strike  
14 is granted, which we think it should be because of  
15 ParkerVision's failure to rely -- or ParkerVision's expert's  
16 failure to rely on reliable information about the physical  
17 structure of a device, then they have no evidence, and so,  
18 therefore, their infringement arguments must fail.

19 The second reason we think summary judgment is proper is  
20 because the undisputed facts show that the accused products --  
21 if we actually did look at the layouts, right? So we say, Hey,  
22 your failure to look at the layouts is determinative. But then  
23 we say, If you actually did look at the layouts, then this would  
24 prove noninfringement in this case.

25 Now, our concern is that what ParkerVision wants to do is

1       they want to go in front of a jury and say, Gosh, we know  
2       there's information out there that proves beyond a doubt that  
3       our infringement theory is not correct in this case, but we  
4       should be able to present that kind of information to the jury  
5       anyway. And our view that that is not what the court system is  
6       for. The court system is to exclude that kind of analysis where  
7       an expert just stands in front of a jury and says, Trust me.  
8       Even though I didn't look at the information I should have  
9       looked at, that I was given access to look at, jury, you just  
10      trust me because I'm an expert in this field. And we think that  
11      that's wholly improper and that the proper way to decide this is  
12      by the Court granting our motion to strike and our motion for  
13      summary judgment.

14                   THE COURT: Thank you.

15                   MR. VERBONCOEUR: Your Honor, I'm going to address the  
16      motion to strike aspects of this; whereas Mr. Burgess is going  
17      to address the motion for summary judgment.

18                   THE COURT: I don't know which of your gentlemen would  
19      be speaking to this point, but is there any disputes? I know  
20      QUALCOMM had admitted facts that are not in dispute and there  
21      was no objection or claim that they were not correct, so I've  
22      been operating under the presumption that the manner in which  
23      the accused devices sum is as explained by your colleague, that  
24      they don't combine in a cascading manner, that they go in the  
25      manner that was just discussed. I didn't see any opposition to

1 that. So I assume that to be an undisputed fact.

2 MR. VERBONCOEUR: No, Your Honor. Sorry if that point  
3 wasn't clear. We cited to Dr. Steer's testimony that that  
4 understanding of the product differs from his. He cites to --  
5 and I'll go through this. He cites to various sources of  
6 information that contradict the version of events that  
7 QUALCOMM's put forth.

8 But before I do that, if you don't mind, Your Honor, I  
9 would like to comment on the last motion just briefly.

10 THE COURT: Sure.

11 [REDACTED]

12 [REDACTED]

13 [REDACTED]

14 [REDACTED]

15 [REDACTED]

16 [REDACTED]

17 [REDACTED]

18 [REDACTED]

19 [REDACTED]

20 [REDACTED]

21 [REDACTED]

22 [REDACTED]

23 [REDACTED]

24 [REDACTED]

25 [REDACTED]

1

2

3                   The other point I wanted to bring up is, I mentioned the  
4 motion that QUALCOMM has against Mr. Sorrells' report. That  
5 wasn't brought up at all in their presentation. So we're not  
6 sure if that motion is dropped or if we should address it in  
7 more detail.

8                   THE COURT: I'm sorry. This is the expert where your  
9 position was there was a very brief argument by the defense that  
10 his opinion shouldn't stand and then you spoke to the merits of  
11 his opinion?

12                   MR. VERBONCOEUR: That's correct.

13                   THE COURT: All right. I think that issue has been  
14 submitted.

15                   MR. VERBONCOEUR: Okay.

16                   THE COURT: All right. So you're covering the motion  
17 to strike, and you're colleague is covering summary judgment.  
18 So the issue is layouts. Why not look at layouts?

19                   MR. VERBONCOEUR: Thank you.

20                   QUALCOMM's counsel just told you it was an impossibility to  
21 rely on schematics to show summing. This is QUALCOMM's expert,  
22 Dr. Razavi's sworn report, and under a clear and convincing  
23 evidence standard for invalidity, that is the higher position  
24 for invalidity and about QUALCOMM's alleged system prior art.  
25 Dr. Razavi for the summing limitations cites a QUALCOMM

1 schematic. Now, of course, QUALCOMM has its layouts for this.  
2 I mean, they've had them for 20 years now. This is a QUALCOMM  
3 product. QUALCOMM created this product.

4 And if what Mr. Gardner just told you is true, that  
5 QUALCOMM always creates layouts of its products, we have to  
6 question the trustworthiness of Dr. Razavi's declaration if to  
7 show clear and convincingly that the summing means are present  
8 in QUALCOMM's own products Dr. Razavi looks right to the  
9 schematic. And Dr. Razavi signed this report and submitted it,  
10 just like he signed the declaration saying exactly the opposite,  
11 that you cannot trust schematics.

12 THE COURT: But is this for the same purpose, because  
13 they're different? You know, I'm thankful that in my former  
14 life, when I was a products liability lawyer, I sued a power  
15 company, and it was all schematic diagrams as to how the large  
16 substation worked before it blew up and injured people. It was  
17 a new substation, so it was pretty simple that something went  
18 wrong between either the component part supplier or the way it  
19 was installed. So we had a pretty narrow issue in front of us.  
20 But, you know, we spent a year and a half poring over  
21 blueprints. They're not exactly the same as a layout. You and  
22 I know about that.

23 So when the defense expert in speaking to invalidly points  
24 to the first summer or second summer, is that necessarily the  
25 same as looking at layouts that deal with cascading versus other

1 ways that the summing occurs, because they may be apples and  
2 oranges?

3 MR. VERBONCOEUR: This is oranges and oranges because  
4 they're exactly the same claim limitations. These are the  
5 summing limitations that QUALCOMM alleges are missing in order  
6 to make its motion for summary judgment. These are the same  
7 limitations. And so if Dr. Razavi is able to say, By reviewing  
8 QUALCOMM product schematics there are summing means in those  
9 products, it's inconsistent for him then to say, You can only  
10 look at layouts to show summing means in any product.

11 THE COURT: Isn't it more nuanced, though? I mean,  
12 the argument as I appreciate it was that your patent has summing  
13 occurring at a certain specific order, 1 and 2, 3 and 4, 1 and 2  
14 plus 3 and 4 equals. Whereas their products sum in a variety of  
15 other ways, but not the way that your patent teaches. So if  
16 it's summing in a different way, it doesn't infringe by  
17 definition. Isn't that the difference? And the layout tells  
18 you that, not necessarily a schematic that speaks to summers  
19 being present but not necessarily the way in which they  
20 communicate.

21 MR. VERBONCOEUR: Two responses to that, Your Honor.  
22 First, for *Daubert* purposes, no, because these are the same  
23 claim limitations, and the issue at hand in *Daubert* is, is it  
24 reliable methodology for an expert to consult schematics to show  
25 how products sum? The answer to that question, based on

1 Razavi's citation to the schematics, in Razavi's view should be,  
2 yes, it is reliable to do so.

3 The second response to this is, whether or not the accused  
4 products actually do this and whether ParkerVision has evidence  
5 is an issue for the summary judgment argument that I think will  
6 come up shortly.

7 And to be clear, Dr. Razavi is using QUALCOMM's own product  
8 schematics to show a first summer and the second summer in the  
9 same ordered limitation arrangement that's in the '372 patent  
10 claims at issue here. And so far from an impossibility to  
11 discern summing means from looking at a schematic, it's  
12 something that Dr. Razavi relies on to meet a higher evidentiary  
13 burden than ParkerVision has to show infringement in this case.  
14 As the *Microsoft v. i4i* case tells us, QUALCOMM, citing their  
15 own product schematics has to show summing means by clear and  
16 convincing evidence; whereas ParkerVision to show infringement  
17 has to meet its burden by preponderance of the evidence.

18 And I will also point out, Your Honor, that there are  
19 something of moving *Daubert* goalposts here. On the one hand  
20 we're told, when QUALCOMM says it's the case that only  
21 simulations or calculations are -- or only those can show  
22 certain limitations, and anything else is unreliable. But for  
23 other limitations, when QUALCOMM decides it suits them, only  
24 layouts are proper. And so there's this moving goalpost of  
25 *Daubert* where whenever QUALCOMM identifies a piece of evidence

1 that supports its side of the case, it's suddenly unreliable to  
2 rely on any other evidence to show a contrary proposition. And  
3 I think that's problematic for *Daubert* because it focuses on  
4 methodologies, rather than the conclusions of the expert. It  
5 focuses on whether it's reliable for the experts to do these  
6 things, not whether QUALCOMM happens to disagree with the facts  
7 the evidence show.

8                   THE COURT: Isn't the argument by QUALCOMM, though,  
9 that the schematics don't tell the order in which the summing  
10 occurs? It shows there's summing that takes place. I don't  
11 think they dispute that in the patent, but not necessarily  
12 order. You have to look at the layout of the accused product in  
13 this case. You have to look at the schematic of the accused  
14 product. You have to look at the layout to see how it actually  
15 works, as opposed to a schematic that simply says, Yep, there  
16 are summers. There are several of them. But the way in which  
17 they interact requires a layout. That's what they seem to be  
18 saying.

19                   This Dr. Razavi reference that you have at slide 159, and I  
20 may be wrong about this, but he's talking about prior art where  
21 he's saying prior art has taught summing, not necessarily the  
22 order in which it sums. So it may be different, that he's  
23 saying a schematic shows that this notion of summing is not new  
24 or novel. It was obvious. That's different than saying the  
25 accused device practices it in a certain way. Or am I wrong

1 with that?

2 MR. VERBONCOEUR: Respectfully, I disagree with that.

3 THE COURT: No. I don't profess to be the expert here.

4 When I'm saying you, I'm asking because I don't know.

5 MR. VERBONCOEUR: Yeah. The '372 patent asserted  
6 claims had a set of limitations. ParkerVision must show that  
7 each of those are present in the accused products.

8 THE COURT: Right.

9 MR. VERBONCOEUR: QUALCOMM has argued that by not  
10 looking at layouts, we failed to show some of those limitations.  
11 By contrast, by citing to this schematic, QUALCOMM has alleged  
12 that BBA2 discloses all of those same limitations. So in other  
13 words, if the schematic is sufficient to show what would be  
14 infringement in the prior art, it has to be sufficient to show  
15 what would be infringement for ParkerVision. It's, in essence,  
16 proving that the prior art performs all limitations. It just so  
17 happens that the prior art predates the patent, but it would be,  
18 in effect, practicing the invention.

19 And so what Razavi is doing here is saying, All of the  
20 summing limitations of the '372 patent are met by its earlier  
21 product. Now, if this product happened to postdate the patent,  
22 that would be infringement. It's the same analysis is what I'm  
23 telling you. And so to the extent QUALCOMM argues there's an  
24 order requirement, they have to show that it's met in prior art,  
25 just like we have to show that it's met for infringement.

1       And so what that means is that QUALCOMM's expert is saying  
2 by clear and convincing evidence that the same claim limitations  
3 are depicted on a schematic and he's able to swear that's the  
4 truth, but then files a declaration saying that, Well, when it  
5 comes to infringement, you can't look at schematics to show the  
6 very same claim limitations in the very same order. And I think  
7 that's a contradiction.

8       I'll point out that -- and this is going towards my moving  
9 goalpost of *Daubert* argument -- that when it suits them,  
10 QUALCOMM cites the schematics and design review documents, like  
11 the kind we've been discussing in the simulation conversation.  
12 When it doesn't suit them, they challenge them under *Daubert* and  
13 say that the documents are -- it's unreliable to rely on such  
14 documents about the way the accused products operate.

15       And here is the relevant portion of our expert's report,  
16 and our expert relies on design review documents and deposition  
17 transcript testimony, and I'll add that one of the deponents on  
18 whom he relies is the same Jim Jaffee that testified about the  
19 layouts. And, again, this has come up several times, but this  
20 is something experts reasonably consider in relying -- or  
21 reasonably rely on in considering how a circuit operates.

22       And I think at bottom, putting aside the contradictions in  
23 Dr. Razavi's declaration and his report to show the very same  
24 claim limitations in two different QUALCOMM products, one just  
25 so happens to predate the date of the inventions at issue here,

1 the problem is that there are two versions of events. There are  
2 documents that QUALCOMM produced that weren't made in  
3 anticipation of litigation, and there are documents they make to  
4 show how their products operate, and our experts reached  
5 different conclusions having considered these. And that is  
6 something for the jury, and if QUALCOMM believes that its  
7 schematics -- or, sorry, its layouts are more correct than the  
8 schematics, they can cross-examine Dr. Steer on that point.

9 And I will address the only cited case in QUALCOMM's motion  
10 about the layouts in their motion to strike, and that was  
11 this -- I don't know how you pronounce this but -- *MiMedx*. And  
12 it was a case out of Georgia, and it had nothing to do with  
13 schematics or layouts. It had to do with forceps testing of  
14 dehydrated tissue grafts. So it was totally inapposite. And  
15 it's not a situation where a Defendants' expert says schematics  
16 can show all of the summing limitations of the '372 patent in  
17 this one QUALCOMM product, but you can't look at the same exact  
18 document for another QUALCOMM product. And QUALCOMM hasn't  
19 argued that they -- they have no evidence that schematics used  
20 to be reliable and somehow it wasn't and everyone knew. That's  
21 not the argument they're saying. They're saying it's an  
22 impossibility for a schematic to ever have this, which, again,  
23 is contradicted by their report.

24 Thank you, Your Honor.

25 THE COURT: Thank you.

1           Mr. Burgess, when you're ready.

2           MR. BURGESS: Thank you, Your Honor.

3           THE COURT: So I guess the question is, if the patent  
4 requires summing to happen in this cascading fashion, which has  
5 been discussed by your colleague, and if the accused devices do  
6 not have that cascading summing process, would you agree there's  
7 no infringement? I know you're going to, I assume, argue that  
8 the accused products don't operate in the way that QUALCOMM  
9 alleges. But assuming the argument is correct, that it doesn't  
10 meet the claim limitations, and, therefore, the accused devices  
11 don't infringe, would that be fair? That seems like a common  
12 sense statement.

13           MR. BURGESS: Sure. That would be fair, Your Honor.

14           THE COURT: All right. So why is that wrong?

15           MR. BURGESS: Well, it's wrong, first of all -- and a  
16 lot of this will be -- a lot of this will be repetitive because  
17 we've seen some of these slides already. But Dr. Steer  
18 considered not just QUALCOMM's schematics, but also additional  
19 technical materials, like the design reviews we've heard about  
20 and also testimony from QUALCOMM's witnesses, and he's  
21 concluded, after analyzing all of the schematics and all of  
22 these other materials, that the summing limitations have been  
23 met, as cited on slide 72. And QUALCOMM doesn't say -- I didn't  
24 hear them say today, I didn't see them say in their briefs, that  
25 Dr. Steer made any mistakes. They haven't said that, Oh, he

1 looked at these schematics and he got it wrong, or he looked at  
2 this deposition testimony and he misunderstood what our engineer  
3 was saying, or he looked at this design review and he just  
4 misconstrued it. They don't say that. They simply say he  
5 looked at the wrong stuff. That's the beginning and the end of  
6 their argument.

7 And, you know, the problem is, and this is what you just  
8 saw, their own expert has relied on the same thing, schematics,  
9 QUALCOMM's schematics, not layouts, to prove exactly the same  
10 thing that's at issue here. And so at minimum, at minimum, this  
11 creates a disputed issue of fact for the jury.

12 The *Micro Chem* case is on point. When, as here, the  
13 parties' experts rely on conflicting sets of facts, it is not  
14 the role of the trial court to evaluate the correctness of the  
15 facts underlying one expert's testimony. And that's very much  
16 what we have here. We have Dr. Steer looking at a panoply of  
17 facts, schematics, technical design reviews, testimony from  
18 QUALCOMM's own witnesses, coming to one conclusion, and QUALCOMM  
19 doesn't say that he analyzed those materials incorrectly.  
20 Dr. Razavi looks at a different set of materials, when it's  
21 convenient. Sometimes he looks at the same materials that  
22 Dr. Steer looked at to prove the same thing. He comes to a  
23 different conclusion. It's not the province of the Court,  
24 according to the *Micro Chem* case, to decide who is right.  
25 That's the question for the jury. It should preclude summary

1 judgment.

2 And I just want to be -- I want to be clear about the  
3 question that I answered when we first started. I wasn't  
4 attempting to sort of answer on behalf of all of the pending  
5 claims sort of in general, but, obviously, if it turns out that  
6 the claims have particular -- I mean, you used I think the term  
7 cascading and cascading isn't --

8 THE COURT: Just taking their term.

9 MR. BURGESS: Yeah. It's not in the claims, right?

10 THE COURT: Right.

11 MR. BURGESS: But assuming, of course, if the claim  
12 limitations aren't met, there's no infringement. I mean, that's  
13 actually met.

14 [REDACTED]

15 [REDACTED]

16 [REDACTED]

17 [REDACTED]

18 [REDACTED]

19 [REDACTED]

20 [REDACTED]

21 [REDACTED]

22 [REDACTED]

[REDACTED]

23 [REDACTED]

24 [REDACTED]

25 [REDACTED]

1 [REDACTED]  
2 [REDACTED]  
3 [REDACTED] [REDACTED]  
4 [REDACTED]  
5 [REDACTED] [REDACTED]  
6 [REDACTED] [REDACTED] [REDACTED]  
7 [REDACTED]  
8 [REDACTED]  
9 [REDACTED] [REDACTED]  
10 [REDACTED]  
11 [REDACTED] [REDACTED] [REDACTED]  
12 [REDACTED]  
13 [REDACTED] [REDACTED]  
14 [REDACTED] [REDACTED] [REDACTED]  
15 [REDACTED]  
16 [REDACTED]  
17 [REDACTED]  
18 [REDACTED]  
19 [REDACTED]  
20 [REDACTED]  
21 [REDACTED]  
22 [REDACTED]  
23 [REDACTED]  
24 [REDACTED]  
25 [REDACTED] [REDACTED]

1 [REDACTED]  
2 [REDACTED]  
3 [REDACTED] [REDACTED] [REDACTED]  
4 [REDACTED]  
5 [REDACTED] [REDACTED]  
6 [REDACTED] [REDACTED]  
7 [REDACTED] [REDACTED]  
8 [REDACTED] [REDACTED] [REDACTED]  
9 [REDACTED] [REDACTED]  
10 [REDACTED]  
11 [REDACTED] [REDACTED] [REDACTED]  
12 [REDACTED]  
13 [REDACTED]  
14 [REDACTED]  
15 [REDACTED]  
16 [REDACTED]  
17 [REDACTED]  
18 [REDACTED]  
19 [REDACTED] [REDACTED]  
20 [REDACTED] [REDACTED]  
21 [REDACTED] [REDACTED]  
22 [REDACTED]  
23 [REDACTED]  
24 [REDACTED]  
25 [REDACTED]

1       So in sum, Your Honor, I truly believe that this is simply  
2 a case of two different experts looking at two different sets of  
3 underlying materials and coming to two different conclusions,  
4 and I think it's up to the jury to decide which expert looked at  
5 the right materials.

6           THE COURT: Thank you very much.

7           MR. BURGESS: Thank you.

8           MR. GARDNER: Can you leave up I guess it's your slide  
9 with Dr. Razavi's expert report on it?

10          THE COURT: Mr. Gardner, your colleague makes a good  
11 point about the fact that Dr. Razavi has referred to schematics  
12 in demonstrating why prior art --

13          MR. GARDNER: I think that --

14          THE COURT: -- wins the day.

15          MR. GARDNER: I'm so happy to address this point, Your  
16 Honor, because this is just a great example in reading.

17          So let's start at the far right-hand side of this. Do you  
18 see in the right-hand corner of slide 73 where it starts? I  
19 mean, we can do the read-along.

20          "To the extent" -- those are always really important  
21 words -- "the double-balanced mixer outputs in the accused  
22 products are summed using the claimed first summer second summer  
23 and summing means, BBA2 also discloses these claim elements."

24          Dr. Razavi did not, absolutely 100 percent did not, testify that  
25 schematics are sufficient. In his report he goes through and he

1 details why for this claim limitation you have to look at the  
2 physical structure. You know, and any suggestion impugning  
3 Dr. Razavi on this I take very much to heart. He did not say  
4 schematics are sufficient.

5 Their entire argument is built off of a mischaracterization  
6 of what Dr. Razavi said in his report. He said, Look, if the  
7 Court is going to allow this theory, if you are going to allow a  
8 theory that I can rely on schematics for the accused products,  
9 then BBA2 shows the exact same thing, so, therefore, that's  
10 enough for invalidity.

11 It's the exact same argument that Mr. Verboncoeur just  
12 made. He said, Well, you know, if it meets for infringement, it  
13 meets for invalidity. That's true. But Dr. Razavi did not say  
14 schematics are sufficient. He said, "to the extent that." And  
15 that is an incredibly big difference. Dr. Razavi in his expert  
16 report details why you had to look at layouts for this case.

17 The other thing that I think is very surprising here is  
18 essentially what Dr. Razavi has done is he has gone and looked  
19 at the physical device by looking at the layout. Right? And  
20 he's saying, Look, we know exactly what the physical layout  
21 does. So if we had a case where, you know, we needed to take a  
22 measurement and it was 5 inches, right, and we had a way to be  
23 able to make that measurement, and their expert didn't do it and  
24 our expert did, and their expert says, You know what, I looked  
25 at all of these other different documents, I asked them

1       questions, and I think it's reasonable to conclude that this is  
2       5 inches, and somebody measures it and it's five inches, that's  
3       not the kind of dispute that goes to a jury. That's the kind of  
4       dispute that's appropriate.

5           So I think that, again, we have these two issues. One is  
6       the motion to strike, and on the one hand, they say the only  
7       basis they've said for saying that schematics are sufficient is  
8       they've tried to suggest that Dr. Razavi did that here. He  
9       absolutely did not. I mean, he said, To the extent that the  
10       accused products meet this limitation based off of  
11       ParkerVision's theory of the case, then, yeah, then this prior  
12       art reference discloses the exact same thing.

13           But if we're going to look at the proper analysis,  
14       Dr. Razavi provides his expert opinion that you have to look at  
15       layouts, and that's consistent with the depo testimony that we  
16       cited to them and that we presented to the Court in the other  
17       slides. So on the motion to strike issue, the only evidence  
18       that they've collected is this, and it just doesn't support  
19       their point.

20           The second issue is this summary judgment issue, and they  
21       say, Well, we should be allowed to submit this different  
22       evidence to the jury. We have the evidence how the product was  
23       actually manufactured. We can look at that without any doubt in  
24       the entire world about how the summing is performed. Right? We  
25       can look at that document. The fact that you can make logical

1 assumptions from the other documents, yeah, if there was no  
2 other information, then we could make those logical assumptions,  
3 but we have the layouts.

4 It's like in your case, if in that case somebody couldn't  
5 actually go to the physical site to see how things were laid  
6 out, so you had to be able to look at the schematics and design  
7 diagrams, the blueprints and things like that in order to be  
8 able to do that because -- you know, but imagine in your own  
9 case if somebody said, Well, I actually went to the physical  
10 site, you know, and I took a measurement or I looked at how it  
11 was set up and that's inconsistent with the blueprints, would it  
12 go in front of the jury saying, We don't have to believe what  
13 the physical site looks like because we have the blueprints? I  
14 mean, that is exactly what's happening here is they're trying to  
15 rely on something when we have the physical design documents  
16 that show exactly how the summing is performed.

17 And there's no dispute about this. I mean, they didn't  
18 come up here and say Dr. Razavi's analysis is wrong. They just  
19 said, Oh, well, we want to rely on different evidence, but that  
20 evidence is as a matter of law insufficient.

21 THE COURT: All right. Thank you, sir.

22 MR. GARDNER: Do you have any questions?

23 THE COURT: I don't. Thank you so much.

24 MR. GARDNER: So I think -- well, what motion would  
25 the Court like to address next?

1                   THE COURT: Well, aren't we at -- we have now moved  
2 from the first set of motions into the motion for summary  
3 judgment because you had tied together the '372 patent on motion  
4 to strike and summary judgment. So whatever is left in summary  
5 judgment, if there is anything left -- I don't know there is. I  
6 think it was all summing related, wasn't it?

7                   MR. GARDNER: Well, this would be my suggestion to the  
8 Court and I'll look with opposing counsel how they respond, but  
9 we have in QUALCOMM's motion for summary judgment in this case,  
10 docket -- I'm going to refer to it by docket No. 494, we have  
11 multiple issues.

12                  THE COURT: We do.

13                  MR. GARDNER: The most meaty -- meatiest, I don't know  
14 what the proper term is -- of those issues is the collateral  
15 estoppel and noninfringement issues related to the '907 and '940  
16 patents. But given the time of day, we would suggest starting  
17 with the harmonically rich signal issue and the willfulness  
18 issue and then visiting the collateral estoppel issue, you know,  
19 if we have time at the end; otherwise, we would be able to start  
20 that early in the day tomorrow. Because I fear that that's  
21 probably going to require the greatest amount of discussion and  
22 I don't know what time the Court plans on --

23                  THE COURT: I think that makes sense. We'll wrap up  
24 today at five. We have all day tomorrow. Wednesday I'm booked  
25 the entire day in sentencing. So we have to be done tomorrow.

1                   MR. GARDNER: Okay. All right. So we will do --

2                   Can you pull up --

3                   THE COURT: This is the '940 and the '372 harmonically  
4 rich signal.

5                   MR. GARDNER: That's correct.

6                   MR. VERBONCOEUR: Your Honor, I'm sorry to interject.

7                   THE COURT: Go ahead.

8                   MR. VERBONCOEUR: From ParkerVision's perspective,  
9 would it make sense for us to quickly address the last set of  
10 issues in the '372 -- I apologize that it's split between two  
11 attorneys here -- and then before we move on to these other  
12 issues?

13                  MR. GARDNER: I think we are --

14                  THE COURT: Is there anything to add that we haven't  
15 already talked about? There seems to be a disagreement over  
16 whether or not schematics are enough, and the parties have  
17 stated their positions pretty strenuously as to how I should  
18 interpret that.

19                  MR. VERBONCOEUR: Sorry, Your Honor. Let me confer  
20 real quick.

21                  THE COURT: Sure.

22                  MR. VERBONCOEUR: I think we do have additional on the  
23 motion for summary judgment.

24                  THE COURT: All right. Very briefly, please.

25                  MR. BURGESS: Very briefly, Your Honor.

1                   THE COURT: Sure. You can do it from there if you  
2 like. It's no problem.

3                   MR. BURGESS: Okay. Thank you.

4                   So just to reiterate, Your Honor, my earlier sort of  
5 qualification, there is no cascading or batting order limitation  
6 in these plans. They simply require summers. There isn't any  
7 dispute about whether or not they're summers, but when I say  
8 that we did -- or I think my colleague, Mr. Verboncoeur, said we  
9 do dispute their alleged statement of undisputed facts and we  
10 do. It's in our brief.

11                  THE COURT: Well, usually undisputed facts are jointly  
12 stipulated to, so --

13                  MR. BURGESS: These are not jointly stipulated.

14                  THE COURT: Right. I appreciated that point earlier.

15 [REDACTED] [REDACTED]  
16 [REDACTED]  
17 [REDACTED] [REDACTED] [REDACTED]  
18 [REDACTED] [REDACTED] [REDACTED]  
19 [REDACTED] [REDACTED]  
20 [REDACTED]  
21 [REDACTED] [REDACTED]  
22 [REDACTED]  
23 [REDACTED] [REDACTED]  
24 [REDACTED]  
25 [REDACTED]

1 [REDACTED] [REDACTED]  
2 [REDACTED] [REDACTED]  
3 [REDACTED]  
4 [REDACTED]  
5 [REDACTED]  
6 [REDACTED]  
7 [REDACTED]  
8 [REDACTED]  
9 [REDACTED] [REDACTED]  
10 [REDACTED] [REDACTED] [REDACTED]  
11 [REDACTED] [REDACTED]  
12 [REDACTED] [REDACTED]  
13 [REDACTED]  
14 [REDACTED] [REDACTED]  
15 [REDACTED]  
16 [REDACTED]  
17 [REDACTED]  
18 [REDACTED] [REDACTED]  
19 [REDACTED] [REDACTED]  
20 [REDACTED]  
21 [REDACTED] [REDACTED]  
22 [REDACTED] [REDACTED]  
23 [REDACTED] [REDACTED]  
24 [REDACTED] [REDACTED]  
25 [REDACTED] [REDACTED]

1 [REDACTED]  
2 [REDACTED]  
3 [REDACTED]  
4 [REDACTED]  
5 [REDACTED]  
6 [REDACTED]  
7 [REDACTED]  
8 [REDACTED] [REDACTED]  
9 [REDACTED]  
10 [REDACTED]  
11 [REDACTED] [REDACTED]  
12 [REDACTED] [REDACTED]

13       Are we at a point where we can invite anybody back, if  
14       anyone stayed with us? They may have all left by now, but if  
15       anyone outside has stayed with us, if we're at a point in time  
16       we can bring them back. I'm mindful that we have, obviously, an  
17       open-door policy.

18                   (Proceedings unsealed.)

19       MR. GARDNER: I think from our standpoint, Your Honor,  
20       for the next motions, as we expected to hear them, that we would  
21       be comfortable going back on the nonconfidential record.

22       THE COURT: Could we have one of the folks who are in  
23       the back, just if there's anyone out there, let them know  
24       they're welcome to come back. If there's not, that's fine too.

25       MR. GARDNER: So, Your Honor, I want, like, to prevent

1 any, like, just ping-ponging back and forth, but we presented in  
2 our opening motion that that's what's required by the claims.  
3 If you read their opposition, there's not a dispute about this,  
4 and I think that Your Honor can just go through the claim  
5 language yourself, and you'll see that what the claims require  
6 is that you form -- you take two signals and you form a  
7 different signal; you take two other signals and you form a  
8 different signal; and you take those two different signals and  
9 you sum them. This is not -- I don't think there's any dispute  
10 about this. To the extent they're trying to raise some new  
11 claim construction argument now, then I guess that we would need  
12 to deal with that.

13 Unless there's any more questions on that motion, we truly  
14 will move on to docket 494.

15 THE COURT: Great.

16 MR. GARDNER: So as I noted, we'll start -- and I'm  
17 not following the chronological order of the briefing, but we'll  
18 start with the harmonically rich signal and then proceed  
19 forward. We'll see what we'll be able to get through today.

20 THE COURT: And just for time management tomorrow, I  
21 thought the motion for summary judgment of prior art was the  
22 easiest to follow along on my part. So it will probably take  
23 the least amount of time. It was whether it was available and  
24 should have been used. I mean, I think that's going to be a  
25 pretty quick one. I just thought I would notice everything

1 while we were all here, in case there was something, you know,  
2 unique you wanted to add to it.

3 Okay. I'm sorry to interrupt. Go right ahead.

4 MR. GARDNER: No problem. Thank you, Your Honor.

5 So I want to start by just looking at the claim  
6 construction for harmonically rich signal that's in this case,  
7 and it actually requires us to look at two claim constructions.  
8 So first, we have a construction that a harmonically rich signal  
9 means a signal comprised of a plurality of harmonics.

10 THE COURT: Right.

11 MR. GARDNER: Right? And then we have to know what a  
12 harmonic is. So then we go to the next step. A harmonic means  
13 a frequency or tone that when compared to its fundamental or  
14 reference frequency or tone is an integer multiple of it,  
15 including the fundamental frequency of the first harmonic. If  
16 we meld these two claim constructions together, what this  
17 effectively requires is that a harmonically rich signal must be  
18 a signal that comprises, which means includes, a plurality of  
19 frequencies or tones that share this integer multiple  
20 relationship. So that is what is clear based, I think  
21 undisputed, just based off of the plain language of the claims  
22 construction.

23 What I also understand to be undisputed, at least based off  
24 of the briefing, is that ParkerVision's expert is relying on LO  
25 plus or minus BB. So that's LO stands for local oscillator

1 signal, and BB typically stands for baseband. 3LO plus or minus  
2 BB, 5LO plus or minus BB, et cetera. They say that those are  
3 harmonics. So those are what they point to as the quote/unquote  
4 harmonics. And they need to show that they share this integer  
5 multiple relationship.

6 Now, another issue that I do not believe is in dispute,  
7 based off the briefing, is there is no frequency or signal -- or  
8 sorry. There's no frequency content. I'm sorry. I'm on slide  
9 33, and I think it would be important to say there's no  
10 frequency content or signal content --

11 THE COURT: Right.

12 MR. GARDNER: -- at the frequencies LO, 3LO, and 5LO.

13 Now, what ParkerVision's infringement argument hinges on is  
14 we have to show this integer multiple relationship. So what  
15 ParkerVision points to for this integer multiple relationship is  
16 LO, 3LO, 5LO.

17 What this dispute centers on is that if there is no  
18 frequency there, there's no frequency content there, how can you  
19 possibly consider that part of or included within a harmonically  
20 rich signal, such that there would be infringement? Now, I said  
21 that I didn't believe that it was disputed that ParkerVision's  
22 expert is relying on LO plus or minus BB, 3LO plus or minus BB,  
23 et cetera.

24 On page 34 of -- I'm sorry -- slide No. 34, it talks  
25 about -- and this is a quote from Dr. Steer's report and he

1 says, "The harmonically rich signal includes the first harmonic  
2 at LO plus or minus BB, a third harmonic at 3LO plus or minus  
3 BB, and a fifth harmonic at 5LO plus or minus BB. So I hope  
4 that point is undisputed because it seems pretty clear from  
5 Dr. Steer's report.

6 The next issue that I said was undisputed was I said that  
7 there's no -- you know, it's agreed the purposes of this  
8 briefing, that for the alleged harmonically rich signal that  
9 ParkerVision is pointing to, that there is no frequency content  
10 at integer multiples of the LO. So if we look at LO, there's no  
11 frequency content there. If we look at 3LO, there is no  
12 frequency content there. If we look at 5LO, there is no  
13 frequency content there.

14 Dr. Razavi provided very detailed analysis in his expert  
15 report explaining this point. When we deposed Dr. Steer, he  
16 also confirmed this point.

17 When we briefed this issue, we read the briefing, we didn't  
18 see anything in ParkerVision's briefing where they actually  
19 dispute this point. Instead, what ParkerVision argues is that  
20 they argue -- they argue that this point is irrelevant because  
21 frequency content is not required by the Court's construction of  
22 harmonic.

23 Now, I want to be, I guess, somewhat careful here. I don't  
24 understand there to be any dispute. If there is, I guess we can  
25 address that, but we would expect that they would raise that as

1 part of their briefing. And I have intentionally avoided things  
2 that there are raised disputes. We don't think that there are  
3 raised disputes. But for the purposes of the Court, to just  
4 help simplify this issue, there are other facts that we raise,  
5 ParkerVision says they dispute them, we think based on our  
6 understanding of the deposition, but we see that there's a  
7 triable issue of fact on that. As to this issue, the only  
8 response that we got is that frequency content is not required  
9 by the Court's claim construction.

10 THE COURT: This was an agreed claim construction,  
11 wasn't it? When I went back to my claim construction, at 381,  
12 harmonically rich signal was agreed by the parties.

13 MR. GARDNER: There was.

14 THE COURT: So I didn't construe it.

15 MR. GARDNER: And the reason it was agreed by the  
16 parties is it was the claim construction that was used during  
17 the IPRs, and as we'll talk about, both parties had a clear  
18 understanding what it meant in the IPRs, and now ParkerVision is  
19 changing that understanding. And so I think that's why we are  
20 here today is because there was a clear understanding in the  
21 IPRs, and now ParkerVision is taking a different position.

22 So the first issue that we have is, understanding these two  
23 undisputed facts, that they're relying on LO plus or minus BB,  
24 3LO plus or minus BB, 5LO, and there is no frequency content at  
25 LO, 3LO, 5LO, what the Court's -- as the Court's claim

1 construction is written, it requires that a harmonically rich  
2 signal actually include frequencies. Right?

3 Now, ParkerVision's argument is essentially, Well, that  
4 frequency exists, right? And our argument is that completely  
5 reads out what a signal is. So how can you possibly say that  
6 when you do not have any frequency content at a frequency that  
7 that's part of a signal? And I think that this is very easy to  
8 understand. On your radio dial -- I know that there might be  
9 people in this room who are not familiar with when radio dials  
10 worked this way, but there was a point in time when you would  
11 twist your radio dial in order to dial it in. Right? And on  
12 that radio dial, you would be able to dial in to any frequency.  
13 That frequency exists. But if you dialed into a frequency and  
14 there was nothing, then you wouldn't say, Oh, I'm getting a  
15 signal.

16 I mean, this is just plain common sense. This isn't  
17 something that QUALCOMM anticipated we needed a claim  
18 construction about or anything else. We think that the claim  
19 construction is clear. We think what a signal is is clear, that  
20 in order to have a significant, you have to have frequency  
21 content.

22 ParkerVision actually doesn't explain how you can have a  
23 signal without frequency content. So we know that the claim  
24 construction requires that we have to have these frequencies  
25 with this integer multiple relationship. They point to LO, 3LO,

1 5LO, and we know that there is no frequency content there. So  
2 what they're essentially arguing is that despite the fact that  
3 there's no frequency content, that that still can count as a  
4 harmonically rich signal. And QUALCOMM's view is that this just  
5 defies common sense in the plain language of the claim  
6 construction that a signal requires content.

7 The next issue is the issue that I just noted, which is,  
8 during IPRs both parties had a very clear understanding of what  
9 is required. So now ParkerVision says, Whether or not you have  
10 frequency content, they told the Court that that's completely  
11 irrelevant. What they told the Patent Trial and Appeal Board is  
12 completely different. So we just look at the language. So this  
13 is on slide 37. If we look at the patent owner response from  
14 the IPR, it goes through, and this is ParkerVision's argument,  
15 statements from ParkerVision's attorneys, "The frequency content  
16 of the combined output signal," and they go through what that  
17 frequency content says, and they say, "Critically, none of these  
18 calculated frequencies is an integer multiple harmonic of the LO  
19 signal."

20 So what they said was frequency content was very important.  
21 In fact, they said frequency content is critical. Now they  
22 argue that frequency content is irrelevant. We don't understand  
23 how both of these things can possibly be true. If frequency  
24 content was critical, as they represent during the IPRs, then  
25 frequency content is also critical to the issue here, and

1 because there's no dispute that there's no frequency content at  
2 those -- at what would be the integer multiples, then there is  
3 no harmonically rich signal. Now, we raised this and we said,  
4 To the extent that the Court doesn't believe the current claim  
5 construction resolves this issue -- we believe that the current  
6 claim construction resolves this issue because we would  
7 understand that a signal has to have frequency content.

8 ParkerVision disagrees. They argue, No, you know, we're  
9 taking a different view. No clarifying construction is  
10 required. I don't believe a signal needs to have frequency  
11 content. If ParkerVision is going to take that position, then  
12 QUALCOMM would ask for a clarifying construction in this case.  
13 It's not because we think that there's one that's necessary. We  
14 think that the plain and ordinary meaning of a signal would  
15 require frequency content. If you don't have any content, you  
16 don't have a signal. But to the extent that ParkerVision is  
17 going to, you know, basically argue in front of a jury that you  
18 can just have a frequency, and you don't actually have to have  
19 any frequency content at that frequency, we do think a  
20 construction is necessary.

21 And, you know, we cited the *O2 Micro* case for this  
22 proposition, and we also have added a citation on slide 38 about  
23 the fact that it's exactly these kind of statements that are  
24 made during IPR proceedings that can have a limiting effect on  
25 the claims. And so to the extent that ParkerVision is now

1       arguing that frequency content is not necessary, but after they  
2       argued it was critical to the Patent Trial and Appeal Board,  
3       then we want a clarifying construction on this point.

4           And I don't think I need to belabor this point. They've  
5       said that we waived this claim construction argument. There was  
6       no way for QUALCOMM to be aware, based off of the record. You  
7       know, QUALCOMM's understanding was that everybody was on the  
8       same page, based off of what happened in the IPRs, that  
9       frequency content is a required part of the signal. They've  
10       said we've waived any ability because we've agreed to the  
11       construction. When we agreed to the construction, we agreed to  
12       it with the same understanding as from the IPRs. You know, and  
13       now that they're taking a different position from the IPRs, we  
14       do think that a clarifying construction would be important.

15           THE COURT: In your briefing you had made some  
16       detailed reference to the fact that the patent explains unwanted  
17       frequencies of the harmonically rich signal and were moved  
18       through filtering at various places, and then you argued also  
19       that this specification consistently describes frequency in a  
20       harmonic, and as you indicated, which is a time when signal  
21       would exist, not just a numerical value at which there's no  
22       signal. So I don't know if you require any further or if you  
23       want to give any further elaboration, but is that related to  
24       this argument, the fact that there's a suppression of the  
25       unwanted frequency goes to the fact there must be a signal to be

1 suppressed?

2 MR. GARDNER: Yeah. Your Honor, you know my argument  
3 better than I do. I'm impressed. I even skipped over it when I  
4 had the slide.

5 So if we go back to slide 36, the first bullet point, or I  
6 guess it's the second bullet point, where we say, "The patent  
7 specification shows that there must be frequency content for  
8 there to be a signal," and we cite to our record evidence.

9 And I think that to better articulate the argument, one, we  
10 think it's just common sense that a signal has to have frequency  
11 content; two, we cited the specification for the proposition  
12 that in order for you to have a signal you have to have  
13 frequency content; and then, you know, ParkerVision hasn't come  
14 forward with any evidence that you can have a signal without  
15 frequency content; and we think that that's the issue here.

16 THE COURT: All right. Thank you.

17 Mr. Burgess, welcome back.

18 MR. BURGESS: Thank you, Your Honor. I'm going to  
19 start in the middle.

20 Slide 49, please.

21 So this is from QUALCOMM's motion at nine. And as you just  
22 heard, their whole argument hinges on this point. It is  
23 undisputed that in the accused signals in QUALCOMM's products  
24 there is no signal -- emphasize no signal -- at the harmonic  
25 frequencies omega local oscillator 3 times the omega. Omega is

1 just a frequency. Basically, the DLO frequency, 3 times the LO  
2 frequency, 5 times the local oscillator frequency, and further  
3 multiples of the local oscillator frequencies. They say it is  
4 undisputed that there is no energy at those frequencies -- or no  
5 signal at those frequencies.

6 One of the things they cite is Dr. Steer's deposition from  
7 the 9th of December 2020, and what I have here on slide 50 is  
8 spanning pages 156 and 157, and Dr. Steer is asked:

9 "So is it your understanding that at the sum of the output  
10 of the INQ mixers, the carrier is suppressed?" That's what  
11 we're talking about here.

12 He says, "That is the intent usually."

13 "So in QUALCOMM's products, is the carrier suppressed at  
14 the output of the sum of the INQ mixers?"

15 Dr. Steer says, "There would still be energy there, but  
16 it's suppressed to a low value... but there would still be  
17 energy there."

18 And it's not what QUALCOMM says. They say it's undisputed  
19 that there is no signal. Dr. Steer says, Yeah, it's going to  
20 be -- it's going to be suppressed, but there's still going to be  
21 a signal there. And the next bit of this is interesting too,  
22 and I'll touch on this some more later, but one of the  
23 interesting things about the signal that exists right at the  
24 carrier, right at the integer multiples of the LO, it's called  
25 the center frequency, and I'll talk about this later.

1       But one of the interesting things about it is the whole  
2 point -- the whole point of this exercise, of the modulation  
3 exercise, is to generate signals to carry information through  
4 the air. And that center frequency can't carry any information.  
5 It doesn't matter if there's a big signal there. It doesn't  
6 matter if there's a small signal there. It doesn't matter if  
7 there's no signal there. It's incapable of carrying  
8 information, as Dr. Steer testifies here. I'll show you in a  
9 little while, Dr. Razavi agrees. Whenever there's a signal that  
10 has zero bandwidth, as any signal that exists that this exact  
11 frequency would have, it can't possibly carry information.

12           THE COURT: Let me just jump back for a second,  
13 though, to the claim construction and the agreed upon claim  
14 construction --

15           MR. BURGESS: Yes, sir.

16           THE COURT: -- as to harmonically rich signal. The  
17 position, as I understand it, being taken by ParkerVision is  
18 that a harmonically rich signal can be a frequency at which  
19 there is no signal, which reads the word "signal" out of the  
20 claim construction, and it seems to read out from the patent --  
21 I think it's at column 18, 61 through 65, and then it goes on to  
22 column 20, 22, 24, and 25 -- discussing how the unwanted  
23 frequencies are removed through filtering, so meaning the  
24 unwanted frequencies of the harmonically rich signal are  
25 removed. So if there's nothing there, as ParkerVision is

1 claiming, the frequency could have no signal. Why would you  
2 have to suppress or remove or filter anything?

3 And Steer seems to be saying there's some signal. It's  
4 just very low. A lawyer in a brief saying there's no signal at  
5 a frequency isn't necessarily very persuasive to me. The  
6 expert's saying there's some signal, it's just low.

7 My concern is at the time we were all talking about what  
8 this means, no one advocated that a harmonically rich signal  
9 means the absence of a signal. It wasn't argued.

10 MR. BURGESS: Can I address this, Your Honor?

11 THE COURT: Sure.

12 MR. BURGESS: Could I have slide 59, please? Let me  
13 just, if I could -- sorry, Mr. Verboncoeur. Would you bring up  
14 the construction again at 38?

15 So first of all, just to be clear, ParkerVision's position  
16 is not that a harmonically rich signal doesn't have to have a  
17 signal, and I'll get to that in a minute. But first, I want to  
18 talk about the agreed construction of harmonic.

19 And to be clear, this was an agreed construction, but this  
20 is a definition in the patent. The patent literally says  
21 harmonic colon, and this exact text, at least the first part of  
22 it that's in the agreed construction comes exactly from that  
23 definition, and the stuff at the end about the first harmonic is  
24 added on. But the guts of this that we're talking about today,  
25 that's directly out of a definition in the patent. So this is

1 what this means.

2 But the key is, for harmonic -- harmonically rich signal  
3 depends on a harmonic. Right? A signal comprised of a  
4 plurality of harmonics. Okay. So the key is what does harmonic  
5 mean? And if you look at that, it doesn't say anywhere that  
6 there needs to actually be a signal at the harmonic frequency.  
7 That isn't to say, as I'll show you in a minute, that a  
8 harmonically rich signal that's comprised of a plurality of  
9 harmonics doesn't have to have signal energy. Of course it  
10 does. But the point is, at the exact frequencies at the middle  
11 of those spectra, where no information is being carried anyway,  
12 there doesn't need to be a signal there. It's a frequency. The  
13 claim construction that comes from the express definition in the  
14 patent says, a harmonic can be a frequency. It never says it  
15 has to be a signal.

16 THE COURT: Isn't, though, the basic tenets of  
17 construction is not to read language out of the patent that's  
18 clearly stated? And here it says, "signal." That's the  
19 language you all put in your patent.

20 MR. BURGESS: Okay. I'm sorry, Your Honor. I'm not  
21 being clear. The harmonic definition, right, harmonically rich  
22 signal, what you're talking about, that depends on the  
23 definition of harmonic, and again --

24 THE COURT: Right.

25 MR. BURGESS: -- we're not saying -- of course, we're

1 not saying that a harmonically rich signal doesn't have to have  
2 a signal. Of course it does. It's the word, right? It's  
3 there.

4 But the point is, you can have a signal as -- well, let me  
5 just jump to -- let me just jump to my slide that illustrates  
6 this point. I think it's 59, please.

7 So this is a diagram on slide 59 from docket 523-11. This  
8 is Dr. Steer's opening report at paragraph 147, and this is  
9 actually a diagram from Dr. Razavi's paper, the paper that  
10 Dr. Razavi's wrote. Insofar as I know, QUALCOMM hasn't disputed  
11 that the signal spectra that are shown here, the WLO, the 3WLO,  
12 and the 5WLO, that's the way their products work. They generate  
13 spectra that look like that. And I'll go through in a little  
14 while the deposition of their 30(b)(6) witness and also of their  
15 design engineer to verify that.

16 But what's happening here -- at the top left, that's the  
17 basic circuit structure. You see a switch that's being driven  
18 by a square wave. And it starts out, the signal starts out, as  
19 what you see on the top, that  $X_{sub 1}$  of (f). That's called the  
20 baseband signal. And after it goes through -- after it goes  
21 through, it gets gated under control of the square wave, and  
22 then gets shifted up into frequency, and you see that that  
23 spectrum gets shifted up around the harmonic frequencies of the  
24 local oscillator frequency, three times the local oscillator  
25 frequency, five times the local. That's the way their -- that's

1 the way their products work. I don't think there's any dispute  
2 about that.

3 The dispute is right in the middle, which is what  
4 Dr. Steer, he identifies those as the harmonics, as they are  
5 frequencies that are integer multiples of the fundamental. They  
6 literally meet the construction of harmonic.

7 And the three spectra that get shifted up, the baseband  
8 that get shifted up, the three on the bottom plots, those  
9 together are a harmonically rich signal. They meet the  
10 definition. There's signal there, clearly. They include those  
11 harmonic frequencies. Those harmonic frequencies are right in  
12 the middle.

13 In fact, when you're referring to those spectra, you would  
14 call those the -- you would call those by their center  
15 frequency. You call it the first harmonic spectrum or the third  
16 harmonic spectrum or the fifth harmonic spectrum, and the  
17 patent, as Dr. Steer cites in his report, talks about the  
18 special significance of the center frequency and that you  
19 refer -- it's common. One of skill in the art knows that you  
20 refer to signals by the center frequency.

21 So it's crazy, I think, to say that the frequency that  
22 gives the signal its name is not part of the signal. And as we  
23 just saw from Dr. Steer's testimony, it's at least a disputed  
24 issue of fact.

25 THE COURT: As I appreciate, QUALCOMM is saying the

1 way their products work is that the accused products -- this is  
2 coming out of their brief -- have no signal at these  
3 frequencies, meaning the WLO, 3WLO, 5WLO, because they've been  
4 suppressed, that their products are designed to suppress the  
5 signal at the LO frequency, and, therefore, it doesn't meet the  
6 definition. Because your patent speaks to having a harmonically  
7 rich signal at these integers, and they're claiming, Hey, we've  
8 suppressed it, so we have no signal whatsoever.

9 MR. BURGESS: So what --

10 THE COURT: That's the difference, right? That's the  
11 claim of noninfringement.

12 MR. BURGESS: I'm not sure.

13 THE COURTROOM DEPUTY: Sir, please stand at the  
14 microphone.

15 MR. BURGESS: Can I approach to point, Your Honor, or  
16 no. Is that not going to work?

17 THE COURT: It's just hard to hear you. If you can  
18 keep your voice up.

19 MR. BURGESS: I'll talk loudly. And if I'm not, let  
20 me know.

21 THE COURTROOM DEPUTY: Or you can pull the microphone.

22 THE COURT: Yeah. Just pull the microphone your way.  
23 There you go.

24 MR. BURGESS: So as I understand QUALCOMM's argument,  
25 what they're saying is, these are the harmonic frequencies.

1                   THE COURT: Right.

2                   MR. BURGESS: Right. Again, the definition, the  
3                   agreed construction, the express definition in the patent says,  
4                   harmonics are frequencies or tones that are integer multiples of  
5                   the harmonic -- or of the fundamental. So the fundamental is  
6                   LO. Right? That's the frequency of the signal that's driving  
7                   the gate. These are indisputably 1 times LO, 3 times LO, 5  
8                   times LO. Those are harmonics. They are harmonic frequencies.  
9                   They literally satisfy the definition.

10                  What QUALCOMM is saying, I think, is they say, first of  
11                  all, that it's undisputed that right at these red lines, right  
12                  at these frequencies, again, remember "no" italicized. No  
13                  signal energy. That's the key to their argument. Well,  
14                  Dr. Steer says there is some. Okay. So it's not undisputed.  
15                  There's at least a question of fact there.

16                  But even if, even if they're right -- and they're not --  
17                  but even if they're right and there's zero energy at these  
18                  frequencies, these frequencies are within a larger signal. They  
19                  are the center frequencies of these three harmonic spectra.  
20                  They're right at the middle. So even if there's no energy  
21                  exactly at those red lines, those frequencies are within this  
22                  harmonically rich signal.

23                  There's a signal here. This is signal, signal, signal.  
24                  We're not reading that out. That's there. The signal contains  
25                  the harmonic frequencies. That's all it has to do. That's what

1 all the construction says is, a harmonically rich signal  
2 contains multiple harmonics, and this literally does.

3 So for two reasons their argument fails. Number one, the  
4 assumption that they build their entire argument on is at  
5 minimum, at minimum, it's a question of fact, at minimum.  
6 Number two, even if their assumption is right, the system still  
7 satisfies the agreed construction of harmonic and plurality of  
8 harmonics.

9 And as to this idea that this is somehow a sneak attack and  
10 they should get a second chance to offer a different  
11 construction, well, first of all, Your Honor, that would be  
12 fruitless because this -- like I said, this is the clearest case  
13 of a definition I think that I've ever seen. It literally says  
14 harmonic colon. Bam, there's the definition. So there really  
15 isn't any wiggle room there. And all that's left is for the  
16 experts to take that definition and apply it to the accused  
17 product, which is what Dr. Steer has done, perfectly,  
18 appropriately. He's reached a different conclusion as  
19 Dr. Razavi, but Dr. Steer, as I've just gone through, his  
20 analysis is correct.

21 And number two, there's no surprise here. They act like  
22 they're surprised by this theory. They've known about this  
23 patent for years, years and years and years. This construction  
24 is in column 8 of this patent. It's right up front, the  
25 definition. They've known about this. They've known what a

1 harmonic is. They've known how the patent defines it. And  
2 furthermore, they of all people know how their system works. So  
3 the idea that they're surprised by this rings pretty hollow to  
4 me.

5 So I think that the answer is, Your Honor, that there's at  
6 minimum, at minimum, a genuine issue of material fact that  
7 precludes summary judgment, and QUALCOMM shouldn't get a third  
8 bite at the apple to seek a new quote/unquote clarifying  
9 construction, when the meaning to this term has been clear to  
10 everybody since day one.

11 THE COURT: This issue was at the PTAB, am I correct,  
12 or some version of it?

13 MR. BURGESS: Yes.

14 THE COURT: And how was it expressed to the PTAB?

15 MR. BURGESS: So as I understand the issue at the  
16 PTAB, in particular the quote that my colleague showed you,  
17 those signals were different. My understanding is, what  
18 happened there is there were signals where the -- what might  
19 have been harmonically rich signal didn't actually include the  
20 harmonic frequencies. The harmonic frequencies were outside of  
21 the signal, and so the signal didn't include those frequencies,  
22 and so it didn't satisfy the construction of a harmonically rich  
23 signal. That's my understanding.

24 THE COURT: All right. Anything else you would like  
25 to add to the argument?

1                   MR. BURGESS: I think maybe just one thing, Your  
2 Honor.

3                   Could I have slide 61, please?

4                   So this is the section of Dr. Steer -- well, Dr. Steer  
5 cites this section in his report, and QUALCOMM takes him to task  
6 for it in their brief. And the point of this is that -- the  
7 point of this is the patent says that center frequencies, like  
8 the ones we've just been talking about, are significant. It  
9 says, "When describing the frequency of certain signals,  
10 reference is often made herein a specific value. It is  
11 understood by those skilled in the relevant arts that this  
12 reference is to the nominal center frequency of the signal,"  
13 just like these red lines, the harmonic frequencies, "and that  
14 the actual signal may vary in frequency above and below the  
15 nominal center frequency," just like the harmonically rich  
16 signal that we just illustrated does.

17                  But the patent says it's customary in the art to refer to  
18 those signal components by the center frequency. So this would  
19 be the fifth harmonic component. That's just the way that you  
20 refer to these things. So the idea that we would call the thing  
21 by its center frequency, when it's right in the middle, even if  
22 QUALCOMM's right -- and they're not -- but even if they're right  
23 and there's a little notch right in the middle at that  
24 frequency, to say that that's somehow not part of this signal  
25 that it defines is just silly, I think, especially in light of

1 the special significance that the patent assigns to the center  
2 frequency. And furthermore, as I mentioned earlier, you know,  
3 the whole point of this exercise is to create these signals that  
4 carry energy -- or I'm sorry -- carry information.

5 And it's undisputed -- I'm a little bit hesitant to use  
6 that word because everything that's been claimed to be  
7 undisputed actually is. But I believe it's undisputed. Both  
8 parties' experts agree that you can't carry any information on  
9 this center frequency.

10 So whether it's small, as Dr. Steer says, or whether it's  
11 large, or whether it doesn't exist, as QUALCOMM I believe  
12 incorrectly says, it's unimportant because that signal is just  
13 there to mark the center of the spectrum that actually carries  
14 the signal. That's the signal part of the harmonically rich  
15 signal.

16 So that's all I have, Your Honor, unless you have  
17 questions.

18 THE COURT: Thank you.

19 MR. GARDNER: A few points, Your Honor, and then I  
20 hope that you're done hearing from me today.

21 I want to make sure that our argument is clear. I did not  
22 stand up here is say no signal. I said there's no frequency  
23 content. That is the issue, and on this issue there's no  
24 dispute. ParkerVision's counsel said there's no information at  
25 the LO or multiples of the LO, 3LO, 5LO. On ParkerVision's

1 slide 62, if we just take a look at it, ParkerVision  
2 acknowledges, they say, "Center frequency does not carry  
3 information." That's the definition of not having any content  
4 on it. Our argument --

5 THE COURT: Does it matter -- I'm sorry. Just before  
6 I forget it. Does it matter there's content on either side of  
7 that center point? As I see it, just to visualize it, the  
8 frequency range is shown as the little hill, if you will, with  
9 the WLO and 3 and 5 and so forth. So at those integers you have  
10 depicted the wave and then the midpoint of that wave is the area  
11 where you're saying there's no signal, there's nothing happening  
12 there. If I understand the argument correctly, what  
13 ParkerVision is saying is, on either side of that wavelength  
14 there is, and therefore it's all, essentially, one signal.

15 MR. GARDNER: Right. And this is exactly what the  
16 parties addressed during the IPR. So it's not enough that you  
17 can just point to a signal existing. You have to show that that  
18 is actually included in the signal. Right? So this was the  
19 dispute that we had during the IPRs. And as I've showed, Your  
20 Honor -- well, first, I want to make really clear. I said the  
21 issue is about frequency content. Again, on their slide 62, it  
22 seems to me that we're in complete agreement on this point.

23 And I didn't say no signal because I was very careful about  
24 this because I understood from the briefing that they would  
25 emphasize that there was a dispute about signal, and so I

1 narrowed this. As I told the Court in my opening argument, I  
2 don't want to go through a bunch of stuff that's disputed. I  
3 only want to go through what's not disputed.

4 What is not disputed is that there is no frequency content  
5 at LO, 3LO, 5LO. Counsel told us that there's no information  
6 there. There's no content there. I wrote these down when we  
7 were going through. And if we look at slide 62, it says the  
8 center frequency does not carry information. It does not have  
9 content. There is no frequency content. Now, I also pointed  
10 out that ParkerVision's opposition brief, they didn't dispute  
11 this point. They didn't dispute it here. They made a different  
12 argument about signals. We do have a lot of disputes about  
13 other points, but I just want to narrow the Court down to  
14 exactly what's at issue here.

15 Here, they say this argument is irrelevant. They've said  
16 that our argument is irrelevant because frequency content is not  
17 required. And that's where we run into a fundamental dispute is  
18 how is it possible -- they say that frequency content is  
19 irrelevant. We say frequency content is relevant. We have a  
20 fundamental dispute between the parties. And we think that's  
21 important because during the IPRs they pointed out the frequency  
22 content was.

23 Now, once again, we think that this can be addressed just  
24 by the plain language. How can you possibly have a signal  
25 without frequency content? If we look at the arguments that

1       were made, what we're presenting to Your Honor is completely  
2       consistent with the IPR record, with the patents.

3           I understand that there's a number of disputes. And I do  
4       want to make clear that QUALCOMM does not agree with the cartoon  
5       that's drawn there that they do from Dr. Razavi's paper, that  
6       that's representative of the accused products. I mean, that's  
7       disputed quite a bit. But the whole reason I'm staying away  
8       from that is because I don't want to get into that issue.

9           What I want the Court to focus on is ParkerVision's  
10       statement, they say frequency is irrelevant. During the IPRs,  
11       they said frequency content is relevant. We think that this  
12       decides this issue. They say, Oh wait. No. There's disputed  
13       issue of fact because there's signal. That is not the issue.  
14       We have an issue of there's no frequency content there. It's  
15       undisputed. It's undisputed from the IPRs and from the patent  
16       itself that frequency content is required. Summary judgment is  
17       appropriate.

18           I'm going to pass to my colleague, Mr. Brigham, who's going  
19       to address the last argument, and then I guess I will  
20       precondition this. The Court will probably close early. Just  
21       based on this fact, I guess I will be back if we get to the  
22       estoppel issue.

23           Thank you, Your Honor.

24           THE COURT: Thank you.

25           MR. BURGESS: Your Honor, I apologize. Could I make a

1 brief remark in response to what my colleague just said?

2 THE COURT: Sure. I've given up on the not going back  
3 and forth.

4 MR. BURGESS: Sorry, Your Honor. He got to talk  
5 twice, so it just seems fair.

6 THE COURT: I lost that battle about three hours ago.

7 MR. BURGESS: So a couple of things. Mr. Gardner just  
8 said that he was very careful not to say no signal, but in their  
9 brief that's what they said and that was the linchpin of their  
10 argument. What he's saying now is, no frequency content, and he  
11 says that what that means is no information, and if that's the  
12 standard, if you have to have an information bearing signal at  
13 the harmonic frequencies, if that's what was required, then  
14 there's no system in the world that satisfies this, right,  
15 there's no such thing as a harmonically rich signal, because the  
16 evidence is unequivocal. Both experts have testified that in a  
17 single frequency signal with zero bandwidth you can't carry  
18 information. That's why I said, because the point of this is to  
19 carry information, it doesn't matter -- that the frequency is  
20 there to define the signal. It doesn't matter if there's a  
21 carrier there because it can't carry any information. It just  
22 can't. And so if what my colleague is saying is true, there's  
23 no such thing as a harmonically rich signal. It just doesn't  
24 exist. It's a unicorn.

25 One more thing on the IPR. Could I bother you to put your

1 slides up for me?

2 MR. BRIGHAM: Do you remember what number?

3 MR. BURGESS: No. 37. I'm sorry. I apologize, but I  
4 appreciate your indulgence. I just want to make a point about  
5 the IPR argument. The Court will note that the fundamental  
6 frequency here is .9 megahertz, and, you know, the sort of main  
7 requirement of being a harmonic is that you're an integer  
8 multiple. Right? So you look at these and they're clearly not.  
9 Right? 3.1 is not. It would be 2.7, right? So this is not --  
10 these aren't harmonics for the most basic reason, which is  
11 they're not integer multiples of the fundamental. You didn't  
12 even need to talk about things like frequency content or  
13 anything like that. These just don't satisfy the baseline.

14 Thank you, Your Honor, I appreciate your indulgence.

15 THE COURT: Thank you. We have about ten minutes.  
16 Does it make sense to take part of it now and finish in the  
17 morning or do you think it make more sense to just pick up in  
18 the morning?

19 MR. BRIGHAM: Whatever Your Honor prefers. We're  
20 happy to pick it up tomorrow morning. I don't think this  
21 argument will take long, but certainly I think both sides will  
22 take longer than the ten minutes.

23 THE COURT: All right. Why don't we start in the  
24 morning at 9:30. We'll pick up with this argument. That way I  
25 can hear both sides at one time and be able to digest it and not

1 forget about it overnight. I don't have a transcript available.  
2 So I'm just going by my notes as I'm taking them. I do have a  
3 fantastic rough transcript Suzie is preparing, but it's not  
4 always accessible the very same day. So we'll start at 9:30.  
5 We'll pick this up.

6 Are you all optimistic we'll get through this tomorrow?

7 MR. BRIGHAM: Yes, Your Honor, we will. I promise.

8 THE COURT: I like the sureness of your -- all right.  
9 Well, thank you all very much. Have a good evening. See you  
10 guys tomorrow morning.

11 MR. BRIGHAM: Thank you, Your Honor.

12 THE COURT: Thank you. We'll be at recess.

13 (WHEREUPON, this matter was concluded at 4:51 p.m.)

14 \* \* \*

15 CERTIFICATE OF REPORTER

16 I certify that the foregoing is a correct transcript of the  
17 record of proceedings in the above-entitled matter.

18 /s/ Suzanne L. Trimble  
19 Suzanne L. Trimble, CCR, CRR, RPR  
20 Official Court Reporter

21 2/1/22  
22 Date  
23  
24  
25